

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17

COMMITTEE ON OVERSIGHT AND REFORM

SELECT SUBCOMMITTEE ON THE CORONAVIRUS CRISIS
U.S. HOUSE OF REPRESENTATIVES
WASHINGTON, D.C.

INTERVIEW OF: DANIEL JERNIGAN, M.D.

MONDAY, DECEMBER 13, 2021

The Interview Commenced at 9:00 a.m.

18 Appearances.

19 For the SELECT SUBCOMMITTEE ON THE CORONAVIRUS CRISIS

20 [Redacted]

21 [Redacted]

22 [Redacted]

23 [Redacted]

24 [Redacted]

25

26 For the U.S. DEPARTMENT OF HEALTH AND HUMAN SERVICES:

27 KEVIN BARSTOW, Senior Counsel

28 JENNIFER SCHMALZ

29 JoANN MARTINEZ, HHS

30 ERIC WORTMAN, CDC

31

32

33 Exhibits

34

35	Exhibit No.	Page No.
36	1 Initial Public Health Response	
37	and Interim Clinical Guidance for	
38	the 2019 Novel Coronavirus	
39	Outbreak, United States,	
40	December 31, 2019 -	
41	February 4, 2020	27
42	2 Email dated 2/24/2020 with	
43	attached slides	61
44	4 White House Coronavirus Subtask	
45	Force Agenda, March 3, 2020	97
46	5 White House Coronavirus Subtask	
47	Force Agenda, March 4, 2020	97
48	6 White House Coronavirus Task	
49	Force Agenda, March 4, 2020	97
50	7 June 19, 2020, Summary of the	
51	Findings of the Immediate Office	
52	of the General Counsel's	
53	Investigation Regarding CDC's	
54	Production of COVID-19 Test Kits	104
55	8 Dr. Steve Lindstrom Interview,	
56	3/4/2020, 4pm interview notes	104
57		

58 Exhibits (cont.)

59

60 Exhibit No. Page No.

61 12 FEMA COVID-19 Initial Assessment

62 Report, Figure 10. Interagency

63 Coordination Timeline 111

64

65 P R O C E E D I N G S

66 [Majority Counsel]. This is a transcribed interview
67 of Dr. Daniel Jernigan conducted by the House Select
68 Subcommittee on the Coronavirus Crisis. This interview was
69 requested by Chairman James Clyburn as part of the
70 Committee's oversight of the federal government's response
71 to the coronavirus pandemic.

72 BY [MAJORITY COUNSEL].

73 Q Dr. Jernigan, good morning. I'd like to ask
74 you to state your full name and spell your last name for
75 the record.

76 A My name is Daniel D. Jernigan. Last name
77 J-E-R-N-I-G-A-N.

78 Q Dr. Jernigan, my name is [Redacted], I'm
79 Majority counsel for the Select Subcommittee. I want to
80 thank you for appearing virtually today. We recognize that
81 you're here voluntarily. We greatly appreciate you taking
82 time away from your important duties at the CDC.

83 If we can just go through the ground rules now. So
84 first is the presence of counsel. Under the Committee's
85 rules, you are allowed to have an attorney present to
86 advise you during this interview.

87 Do you have an attorney present in your personal
88 capacity today?

89 A No.

90 [Majority Counsel]. Is there agency counsel present?

91 Mr. Barstow. Yes.

92 [Majority Counsel]. And agency counsel, can you
93 please introduce yourself for the record.

94 Mr. Barstow. Kevin Barstow, senior counsel at HHS.

95 [Majority Counsel]. And any additional agency staff
96 here virtually? Can you please introduce yourselves for
97 the record.

98 Mr. Wortman. Eric Wortman, CDC.

99 Ms. Martinez. JoAnn Martinez, HHS.

100 Ms. Schmalz. Jenn Schmalz, HHS.

101 [Majority Counsel]. And then any of my colleagues on
102 the Majority staff?

103 [Majority Counsel]. I'm [Redacted] for the Majority.

104 [Majority Counsel]. [Redacted] for the Majority.

105 [Majority Counsel]. And Minority staff as well.

106 [Minority Counsel]. Hey, Dr. Jernigan. [Redacted]
107 with Minority.

108 [Minority Counsel]. Hi Dr. Jernigan. This is
109 [Redacted]. Thank you for joining us today.

110 BY [MAJORITY COUNSEL].

111 Q Before we begin, I'd like to go over the
112 ground rules.

113 As previously agreed to by the Majority staff and HHS
114 staff, the scope of this interview is the government's

115 response to the coronavirus pandemic from December 1, 2019
116 through January 20, 2021.

117 The way this interview will proceed is as follows:
118 The Majority and Minority staffs will alternate asking
119 questions, one hour per side per round, until each side is
120 finished with their questioning. The Majority staff will
121 begin and proceed for an hour and the Minority staff will
122 then have an hour to ask questions. We'll alternate back
123 and forth in this manner until both sides have no more
124 questions.

125 We have agreed that if we are in the middle of a line
126 of questioning, we may end a few minutes before or go a few
127 minutes past an hour to wrap up a particular topic. In
128 this interview, while one member of staff may lead the
129 questioning, additional staff may ask questions from time
130 to time.

131 There is a court reporter taking down everything I
132 say and everything you say to make a written record of the
133 interview. For the record to be clear, please wait until I
134 finish each question before you begin your answer and I
135 will wait until you finish your response before asking the
136 next question. The court reporter cannot record nonverbal
137 answers, such as shaking your head, so it's important that
138 you answer each question with an audible verbal answer.

139 Do you understand?

140 A Yes.

141 Q We want you to answer the questions in the
142 most complete and truthful manner possible, so we are going
143 to take our time. If you have any questions or do not
144 understand any of the questions, please let us know. We
145 will be happy to clarify or rephrase our questions. Do you
146 understand?

147 A Yes.

148 Q If I ask about conversations or events in the
149 past and you are unable to recall the exact words or
150 details, you should testify to the substance of those
151 conversations or events to the best of your recollection.
152 If you recall only part of a conversation or event, you
153 should give us your best recollection of those events or
154 parts of conversations that you recall.

155 Do you understand?

156 A Yes.

157 Q If you need a break at any time, please let us
158 know. We are happy to accommodate you. Ordinarily we take
159 a five-minute break at the end of each hour of questioning,
160 but if you need a break before that, just let us know. To
161 the extent there is a pending question, I would just ask
162 that you just finish the question before taking a break.

163 Do you understand that?

164 A Yes.

165 Q Although you are here voluntarily, and we will
166 not swear you in, you are required by law to answer
167 questions from Congress truthfully. This also applies to
168 questions posed by congressional staff in an interview.

169 Do you understand?

170 A Yes.

171 Q If at any time you knowingly make false
172 statements, you could be subject to criminal prosecution.

173 Do you understand?

174 A Yes.

175 Q Is there any reason you are unable to provide
176 truthful answers in today's interview?

177 A No.

178 Q The Select Subcommittee follows the rules of
179 the Committee on Oversight and Reform. Please note, if you
180 wish to assert a privilege over any statement today, that
181 assertion must comply with the rules of the Committee on
182 Oversight and Reform. Committee Rule 16(c)(1) states for
183 the chair to consider assertions of privilege over
184 testimony or statements, witnesses or entities must clearly
185 state the specific privilege being asserted and the reason
186 for the assertion on or before the scheduled date of
187 testimony or appearance.

188 Do you understand?

189 A Yes.

190 Q Do you have any questions before we get
191 started?

192 A No.

193 Q I think a good place for us to start is to
194 learn a little bit about you and your career at CDC.
195 Currently you serve as the deputy director for public
196 health science and surveillance; is that right?

197 A Yes.

198 Q And how many years total have you been with
199 the CDC?

200 A I started in 1994. So 27, 28 years.

201 Q Can you briefly walk us through your career
202 path at CDC?

203 A So after completing an internal medicine
204 residency, I moved to the office program. There I studied
205 respiratory disease.

206 [Transmission interference.]

207 The Witness. So after the internal medicine
208 residency, I came to CDC in the academic intelligence
209 service in 1994, worked in the respiratory diseases branch.
210 From there, completed that two-year fellowship training,
211 physically moved to Washington State still working with
212 CDC, but assigned to the Washington State Department of
213 Health in the epidemiology section where I completed a
214 preventive medicine residency.

215 Continued there as a staff epidemiologist for two
216 years, 1999 returned to Atlanta working in the office of
217 the emerging infections. From there, moved to being a
218 section chief in the division of health care quality
219 promotion investigating hospital infections.

220 Then after that, became the deputy director for the
221 influenza division in 2007, and then became the director of
222 the influenza division in 2014-2015. Subsequently, I took
223 a detail as this deputy director for public health science
224 and surveillance in 2021 in February, and then took the job
225 permanently around August or September of this year.

226 Q In January 2020, the beginning of our area of
227 discussion, what was your role then?

228 A At that time, I was director of the influenza
229 division. It sits in the National Center for Immunization
230 and Respiratory Diseases at CDC.

231 Q Who did you report to at that time?

232 A I reported to Dr. Nancy Messonnier, the
233 director of the National Center for Respiratory Disease.

234 Q And in your role, who did you most interact
235 with most frequently aside from Dr. Messonnier?

236 A The activities within the division are varied
237 considerably. There are international activities, domestic
238 activities. On a day-to-day basis I interacted with
239 Dr. Messonnier, others in the leadership team at NCIRD; and

240 depending on the lead, you'd interact with the deputy
241 directors and their principal deputy director and the
242 director of the agency as well as working with HHS
243 operating divisions for BARDA NIH, NIAID, FDA, DoD, USDA,
244 and also -- state health departments.

245 Q Did you interact regularly with
246 Director Redfield in that position?

247 A Off and on, depending on the issue for the
248 appearance of novel influenza viruses. Swan or avian
249 influenza viruses we certainly would provide updates to him
250 as a part of routine messaging on immunology. Influenza,
251 seasonal influenza, seasonal influenza vaccine campaign
252 kickoffs, those kinds of activities, and then any needed
253 inputs for influenza-related questions.

254 Q When did you first learn of a potentially new
255 virus circulating in Wuhan?

256 A When you're referring to the SARS-Co-V-2, we
257 were notified on December 31st from influenza division
258 staff that were permanently deployed to Beijing. At that
259 time, the staff had received information from colleagues
260 that were at the Wuhan consulate regarding concerns about a
261 seafood market and potential for respiratory disease
262 transmission in that setting. That information got from
263 the embassy's consulate there to others at the embassy, and
264 then to our own staff which were embedded at the embassy.

265 Our influenza staff there connected with the deployed
266 staff supervisors who were individuals that are stateside
267 with our -- assigned to each of the regions where we had
268 influenza division staff. That individual summarized the
269 information that she had learned and provided that to me to
270 comment on.

271 There was a concomitant read that occurred as well
272 where the principal deputy director had become aware of
273 similar concerns about increased numbers of cases of
274 respiratory disease, sent an email to Dr. Messonnier, who
275 then emailed me at almost approximately the same time,
276 around 9:30 on whenever the 31st was. Whatever day.

277 Q Can you talk a little bit about the staff that
278 you had? You mentioned the influenza focused staff in
279 China. What other staff was present in country at that
280 time?

281 A So I can't speak to the breadth of the USG
282 individuals or those that were connected in with our
283 activities there. We had an FTE US citizen that was based
284 at the embassy just for influenza. There were also staff
285 supporting other mostly infectious disease activities
286 working with our influenza person, and then there was a
287 management official supported by CDC working in that same
288 space.

289 Also, with that group were staff that were Chinese

290 citizens who were with the CDC on influenza. The group
291 there was placed many years back and we've had a series of
292 individuals that have served in that capacity, notably
293 early to help develop capabilities within China to include
294 virus detection and report it.

295 So the connection would be towards the U.S. CDC based
296 at the embassy and the China CDC which eventually moved far
297 outside of Beijing. That connection was maintained to
298 understand influenza virus evolution and to help include
299 flu strains selections for use in the United States.

300 Q How many total CDC staff are we talking about
301 were working out of the embassy?

302 A For FTE U.S. citizens, three. And then
303 non-U.S. locally hired, three. But those are just the ones
304 that I interfaced with or our division would interface
305 with. I can't speak to others. I don't know if FDA, HIV,
306 or others had staff there at that time.

307 Q It's been reported that due to budgetary
308 constraints, that the number of CDC personnel in China was
309 cut back in recent years. Is that accurate?

310 A The numbers of staff were decreasing; the
311 reasons for I can't speak to. But the remaining staff,
312 influenza was one of the few remaining staff.

313 Q And so that's three influenza staff there in
314 the embassy?

315 A As I can recall at this point, yeah.

316 Q Okay. And so you were communicating with
317 those folks directly around New Year's Day?

318 A 12/31. It's a 12-hour or so difference in
319 time. The initiation of communications started before we
320 were awake and they continued after staff in the U.S. or
321 Eastern Standard Time were at work. So the first
322 communications were in the middle of the night. And then
323 the engagement with the back and forth started to occur in
324 the morning on December 31st. Those were largely between
325 the person that was assigned to supervise our deployed
326 staff at CDC, between her and them and between her and the
327 office.

328 Q And who was that who was supervising the
329 deployed staff?

330 A Hold on a second. Okay. So Carolyn Green was
331 the individual who was the supervisor. Carolyn Green
332 served as our staff Beijing for four years prior to
333 returning and somebody to replace her. So she was fluent
334 in Mandarin and understood the context and the technical
335 epidemiologic issues very well.

336 Q Can you tell us -- I know it's been a few
337 years. Can you tell us in broad strokes what you were told
338 from those influenza folks about the potentially novel
339 virus at that time?

340 A So the initial communications, given that many
341 of the staff that are either in Beijing or employed by the
342 division have had experience with respiratory diseases in
343 southeast Asia and Asia. So there are often identification
344 of outbreaks of respiratory disease that eventually are
345 identified through something as a known pathogen.

346 So the information is very important early on to find
347 out if they had tested in a valid way whether or not there
348 were other respiratory problems like influenza RSV, et
349 cetera. So a lot of that early conversation was about how
350 much they had already tested, how confident were they that
351 they did not have a diagnosis.

352 So the discussions with China CDC from the available
353 information to the Wuhan group were attempting to try and
354 understand that better. Is this something we really don't
355 know what it is, or is it something that just had not been
356 fully tested yet.

357 Q And from that initial report, what was known
358 in terms of ruling out other known pathogens?

359 A Within a matter of hours we did learn that the
360 China CDC was sending a group of their own, mostly
361 influenza staff, to evaluate the epidemiology, to
362 understand who was getting sick, where they were getting
363 sick, et cetera, to collect new specimens and also to
364 verify that the full breadth of testing of various

365 pathogens was underway.

366 I can't recall exactly, but within a day or so we
367 understood that the usual pathogens that might be a cause,
368 influenza RSV, parainfluenza et cetera, that those tests
369 were performed using valid testing capabilities. So that
370 helps to understand the potential that this puts it into a
371 category of unrecognized or unexplained that then prompts a
372 series of different discussions about how best to evaluate
373 that, is something that happens whenever these kinds of
374 clusters emerge.

375 Q What were you doing sort of in response to
376 this report from China?

377 A So very early on we were able to do a number
378 of things. First was to make sure that our leadership was
379 aware. And so our high leadership was actually -- that is,
380 the principal deputy director was already engaged on the
381 31st asking questions and then she and Dr. Messonnier, who
382 was involved in discussions, were informing Dr. Redfield
383 about the potential for this cluster.

384 It's routine that when something like that emerges,
385 we try to characterize as much as we know and take that
386 information up through leadership chains so that the
387 leadership has a sense about what's going on and they're
388 not surprised by the virus.

389 Q Did you brief the director at that time?

390 A Not on the 31st. I believe Dr. Messonnier was
391 providing those to Dr. Redfield.

392 Q When did you first interact with the director
393 about this?

394 A Probably the next day. I'm trying to think.
395 I believe there were emails that we would be providing with
396 updates that got forwarded -- I believe got forwarded to
397 him. I can't recall when the first personal -- in-person
398 communication was. But there were a number of activities
399 happening very early on where we, I believe on the 31st,
400 actually gathered a group at CDC called the unexplained
401 respiratory disease outbreak group or U-R-D-O or URDO.

402 That group has been in place for many years and is
403 made up of various subject matter experts at CDC, feds,
404 including some from outside of the CDC, but still feds,
405 that listened to the findings at that time and then walked
406 through a differential diagnosis trying to figure out what
407 are the possible qualities of the cluster.

408 So that group also looks at what is the highest
409 potential here, what's the likelihood that SARS was in our
410 midst. It was China, it was a known potential given past
411 issues with SARS in China. The other would have been novel
412 influenza, which we had been looking for by setting up
413 other different systems in place. And they followed the
414 H7N9 enormous outbreak that they had in 2013. They

415 established a group called the Unknown Etiology Group. So
416 it was that group that was identifying cases and doing the
417 rule-out of other diagnostic tests in order to better
418 understand what the causes were.

419 So SARS was a possible cause; and, therefore, to
420 identify what might be causing it, you can then ask do we
421 have diagnostics that we can use for it? Are there
422 vaccines for it? Are there therapies for it?

423 And so that running through of potential
424 countermeasures as an exercise, really, while you're trying
425 to figure out what the main problems are is an activity
426 that we do to help decide what are the first things that we
427 should be doing in order to help prepare for this given
428 that it might be any one of a number of different
429 pathogens.

430 Q Who are the other agencies represented in that
431 URDO group?

432 A I'm going to say for that one it was all just
433 CDC. In the past, there are some members of the Department
434 of Defense that would join, depending on the need. There
435 are also the USDA if there's a strong concern about
436 zoonotic transmission. Those are really the main ones.
437 For that call, I do not recall there being but CDC on that
438 group.

439 Q In those early days, when was the first

440 engagement with agencies outside of CDC?

441 A I'm trying to think. Right now, I know that
442 on the 31st, we did reach out to some DoD colleagues. We
443 reached out to our -- I would have to check the dates, but
444 very early on, once something like this emerges, we
445 connected with members of a group called the flu risk
446 management meeting, FRMM, which is an interagency group
447 with CDC, FDA, NIH, mostly NAID, BARDA, DoD, USDA, VA, CMS.
448 And maybe HRSA. But in general, the main players are NIH,
449 FDA, CDC, BARDA, DoD.

450 That group interfaces with each other on a monthly
451 basis to review current influenza risks. So that group is
452 in many ways prepared to be the right people to also talk
453 to these other emerging issues. And so members of that
454 group were contacted over those first few days. I don't
455 have the exact connections with them.

456 But between Department of State through our
457 colleagues in Beijing and our colleagues that were
458 supervising them as well as through some reach-outs to DoD
459 and then the communication up through HHS, those were the
460 predominant parties we were engaging with. That's USG. We
461 were also engaging with the global programs at WHO, who
462 then bilaterally with some countries as well.

463 Q When was the first engagement with the
464 National Security Council?

465 A I do not recall. That's probably known, but I
466 do not recall. The communication up generally included
467 National Security Council. So as information was brought
468 up to the director of CDC, the sharing of that information
469 with NSC usually occurred. So I would have to go back and
470 check exactly when they were first engaged.

471 Q But it would have been around this time, early
472 first week of January?

473 A Yeah.

474 Q Okay. And we understand that the incident
475 management structure was stood up on January 7th; is that
476 correct?

477 A So the CDC follows a process called graduated
478 response framework, GRF. And that GRF indicates that
479 outbreaks rise in the engagement and participation across
480 the agency based on different needs. Initially, an
481 outbreak would start in a division and then can elevate to
482 the center level, which here would be the NCIRD and then
483 from there to the CDC-wide emergency operation centers. So
484 the NCIRD, emergency operation center, was stood up on the
485 7th of January.

486 Q Okay. Maybe it would be helpful for us to
487 hear sort of in broad strokes how that graduated movement
488 from the center to the incident response system works.

489 A So it can apply to any infectious or

490 noninfectious problem. For infectious diseases, probably
491 most recognizable might be food borne. A particular
492 outbreak has been identified by a state health department.
493 They contact CDC. CDC works with them on the verification
494 of what their findings, in terms of the pathogen, assist
495 with some of the characterizations of some of the pathogen
496 itself and then works with them on the investigations that
497 they are doing.

498 Usually we don't get pulled in until they become way
499 more complex. But as that foodborne outbreak rises to
500 incorporate, for instance, more than one state, the need
501 for greater communication and coordination between CDC,
502 FDA, any state health departments warrants a rising of that
503 effort either to be pulling in additional staff from that
504 division or to actually rise up to the level of the center
505 framework.

506 So the needs that might prompt that would be
507 considerable need to communicate up both from a
508 communication to leadership to communicate down to those
509 that are being infected at the state health departments and
510 to the public, but also to work with congressional leaders
511 as well to make sure that they are kept abreast of the
512 issues as they're known. But then also, if there are needs
513 for resources with the center or agency can provide the
514 movement up to -- eventually to the CDC EOC is warranted by

515 that increasing amount of need for communication
516 engagement, resource management, potentially needed
517 additional finances and higher level decision-making with
518 great society impact.

519 Q Let's talk specifically on how the response to
520 the initial reports went through that. So I guess the
521 center that was -- first went to the center and that would
522 have been the NCIRD?

523 A Yes.

524 Q Okay. And that happened around January 7th?

525 A Yes.

526 Q And then how did it gradually move from there?

527 A The communication of there not being other
528 causes of the cluster, and then it was indicated by China
529 to be a new coronavirus, that prompted that initial startup
530 at the center level given what experience had been in place
531 for SARS 1 and for MERS as well as for influenza pandemic
532 and influenza responses to novel flu.

533 So for that reason, the recognition was there that
534 additional support was needed, that began the organization
535 of having task forces or clusters of folks that are in
536 working groups centered around different topical needs. So
537 communications, laboratory, epidemiology, considerations of
538 pharmaceutical interventions and policy.

539 Those groups were the natural placements of

540 individuals based on how we had been responding to
541 influenza emergencies in the past, leading to other
542 infectious disease, respiratory emergencies in the past as
543 well as conforming to a CDC -- CDC's accepted approach to
544 how we implement the incident management system.

545 Q Talk a little bit about this in practical
546 terms. Those task forces get stood up and they draw
547 experts from different centers, or is it all --

548 A At the center level response, you're usually
549 pulled from staff within that center in part because the
550 expertise generally sits there. But you can pull in
551 individuals from other centers as well. There is some
552 support that that response can get from the division of
553 emergency operations at CDC in terms of logistical support,
554 travel, and things like that. So as we rise to that center
555 level, you can access assets from outside of the center as
556 well.

557 Q And then moving from the center level to the
558 incident management structure, when did that happen?

559 A So around the 20th or 21st of January, was the
560 point at which we had identified that first U.S. case. So
561 the U.S. case, I think, really prompted the movement into
562 that larger and more capable environment where the incident
563 manager then does not report to the NCIRD director, but
564 instead reports to the agency director. And the IM

565 structure pulls heavily across the agency in order to have
566 the right expertise and to make things move more quickly.

567 Q And at that time you were named the first
568 incident manager; is that right?

569 A When the CDC EOC was established for this
570 response, yes, I was the first incident manager.

571 Q So in terms of staff, what are we talking
572 about, numbers, in the incident management system when you
573 started it?

574 A I don't have the exact numbers and they vary
575 over time. But the general structure is one in which
576 there's incident manager, there's a principal deputy
577 incident manager and then there are either two to four
578 deputy incident managers that have portfolios over other
579 different topics. Below that are task forces like I
580 mentioned before for communications, community mitigation,
581 laboratory, epidemiology, data analysis and visualization,
582 global migration and quarantine, and several others that I
583 cannot recall right now. But I'm sure you've probably seen
584 the structures.

585 That incident management structure then -- I'm trying
586 to think just from my own experience in the past. We've
587 had up to 50 people just on one of those task forces at the
588 peak of activity in the past. So the actual numbers, I
589 don't have somebody to provide those to you, but this is a

590 structure that is designed to be outside of the
591 bureaucratic structures that the agency maintains so that
592 the lines of supervision now are set within the incident
593 management structure and are not impinged on by the
594 individuals stated or duty station or described supervisory
595 chain.

596 The budget itself is separated from the budget of
597 places where those people are coming from, so it makes for
598 much more rapid movement of resources, change in structure,
599 change in decision making, et cetera. So it's something
600 that the CDC has arrived at after years of our DoD
601 colleagues and others helping to stand it up.

602 Q Were you involved physically moving the staff
603 to the EOC as well?

604 A Usually, yeah. I think -- yes. There is an
605 actual emergency operation center which sits in [Redacted].
606 And in general, for almost all responses, the leadership of
607 the responses moved to that location so they're within
608 close proximity and have rapid meetings and rapid decision-
609 making.

610 The conference rooms across the agency are
611 transformed into the working rooms for the various
612 different task forces. And just depending on the size of
613 the response, the agency will support those needs with the
614 space that's needed.

615 Q And when you started as incident manager on
616 January 20th, can you tell us about your immediate
617 priorities?

618 A I can't give you the exact list, but we had a
619 regular routine of having the priorities stated at the
620 beginning of each of our meetings so we can revisit them.
621 But they were about understanding the potential
622 transmission and severity of the infections that were
623 occurring, and to protect Americans through means for
624 mitigating the impact of the virus, which varied based on
625 where you are in that response and in communicating with
626 others.

627 So I don't have the list of the priorities that we
628 started with, but they are around generally characterizing
629 and intervening as appropriate based on the science and
630 information that we had at the time.

631 (Exhibit No. 1 was identified for the record.)

632 BY [MAJORITY COUNSEL].

633 Q We'll get into some of the specifics. I think
634 it might be helpful to look at Exhibit Number 1, which is
635 what you coauthored about the initial public health
636 response in January. And this was released on February
637 5th; is that correct?

638 A Yeah, it's dated February 4th. Yes.
639 Actually, it looks like the 7th, so it may be embargoed.

640 Q And for the record, the title of this report
641 is "Initial Public Health Response and Interim Clinical
642 Guidance for the 2019 Novel Coronavirus Outbreak - United
643 States, December 31, 2019 to February 4th, 2020."

644 A Yes, this indicates the primary focus of being
645 to slow it down, to prepare folks and prepare us for it and
646 to understand the virus better.

647 Q So I guess this report summarizes those early
648 steps. Can you tell us what goes into publishing a report
649 like this and the rationale for putting this all out there
650 in this way?

651 A So the MMWR does a number of different things.
652 One is to communicate quickly what is known about a
653 particular problem and to describe what the interventions,
654 what the public needs to take are, what does it mean.

655 In addition, they do also serve as a record of what's
656 happened so that anyone needing to come over at different
657 times to be a part of that response either in a public
658 health setting or elsewhere, and use these documents to see
659 as record of what happened and the activities that had been
660 put in place at the time.

661 So we had put out various different communications, I
662 think, but this one was intended to be summarizing of what
663 had happened so far and the broader direction that we were
664 heading with the response.

665 Q Okay. And looking at page 2 of the report,
666 the second column underneath the blue box there, beginning
667 the discussion of the quarantine stations. And one of the
668 early steps were these airport screenings that began on
669 January 17th; is that right?

670 A Yes, I think that the enhanced screening
671 started around that time, yes.

672 Q And can you tell us what led to the decision
673 to begin the enhanced screenings?

674 A The location of the source of the virus was
675 fairly well understood to be in Wuhan and Hubei and coming
676 from China. And so screening is one component of a set of
677 other components that can be used in order to help with the
678 identification of cases. But also the process itself does
679 tend to keep some travelers from deciding to even come.

680 So these, again, I think were intended to provide
681 time so that we could be more prepared, although it also
682 provided more time for us to have in order to characterize
683 the virus and understand how well it was being transmitted.

684 Q Can you tell us a little bit about that
685 process that went into this decision to begin the enhanced
686 screening?

687 A The details, a lot of that I really don't
688 recall much of it at this point. There are experts at CDC
689 that had been dealing in this space of travel-associated

690 regulations and policies and travel-associated
691 interventions that were taking the primary role in engaging
692 with the various different components of the federal
693 government that are responsible for border and customs
694 activities.

695 Q Do you recall your role in this process?

696 A As incident manager, I was interfacing with
697 the director of that group who was representing us on a
698 number of different calls. And those decisions were
699 brought through the incident management structure and we
700 provided it to the director as recommendations for going
701 through with these different kinds of interventions.

702 Q Who was the director of that group?

703 A Marty Cetron.

704 Q And obviously as you said, this took
705 coordination amongst different agencies in the federal
706 government. Was the White House involved in this decision
707 January 17th enhanced screenings?

708 A The January 17th one, I actually can't recall
709 all the details of that, but there were throughout this
710 period National Security Council groups that were informed,
711 meetings were occurring. I do recall going to the
712 meetings. I don't recall what the dates are or necessarily
713 the folks that were on them.

714 Those discussions around border issues were engaged

715 with the National Security Council, which is often the case
716 with something that is important as working -- changing how
717 things happen at the border. That does require engagements
718 from across the agency.

719 Q I know it's difficult now to remember specific
720 calls, but do you remember who you were engaging with from
721 the National Security Council?

722 A I actually do not recall right now.

723 Q So the first paragraph on the next page, page
724 3, it says, "As of February 1st, there were a total of
725 3,099 persons on 437 flights screened, five symptomatic
726 travelers were referred by CDC to local health care
727 providers for further medical evaluation and one of these
728 persons tested positive for COVID" -- "for 2019 nCoV."

729 So talk to us a little bit about these figures and
730 why so few cases were being detected from the screenings.

731 A So the interpretation that so few were being
732 detected, I think it's really there were so few cases that
733 were coming through this process I think is what you're
734 asking. The imposition of screening can in fact lead
735 individuals that may not want to get tested and be
736 identified, they may not travel. So you are already
737 beginning your collection of those to be tested that would
738 not -- they wouldn't be there because they had elected not
739 to travel. So that's one thing that the screening.

740 The other is it is true that most people traveling
741 are not positive and, therefore, it isn't actually picking
742 up as an actual prevalence of those numbers. The other is
743 that there may have been individuals that didn't truthfully
744 respond to the questions and, therefore, were not able to
745 be tested because they did not meet the criteria or they
746 did not truthfully answer the questions. So there are
747 reasons why you could have decreased numbers.

748 But in general, this as a means for detecting cases
749 was one part of an overall set of activities that are
750 needed in able to help identify more cases. The
751 expectation was most transmission globally was happening in
752 one place and, therefore, imposing these kinds of efforts
753 for that group made the most sense at targeting how you
754 were trying to identify cases.

755 Q Given what CDC learned later about
756 importations from Europe, do you think screening passengers
757 from Europe would have detected more early cases?

758 A Whether or not the numbers and prevalence
759 would be different from this amount I don't know. The
760 numbers of persons traveling from those airports by various
761 means by which they can get to the U.S. makes for a more
762 complicated effort. But the identification of Europe as a
763 potential source of infection, similar to what we
764 understood about Wuhan, is a reasonable decision to add

765 them to screening as well.

766 Q I guess we'll get into this a little later;
767 but were there discussions about doing similar screenings
768 for travelers from Europe around that time?

769 A A lot of the experience in the past with
770 travel screening and border issues came from working with
771 influenza in the past where lots of people would be
772 given -- that most people would not be having significant
773 problems. And so the general approach was to not implement
774 border efforts like these for flu.

775 Some of those were implemented during 2009, so there
776 were some data that demonstrated the use of border efforts
777 could have an impact at slowing introduction. So the
778 decisions about the significant impact on business and
779 other travel has to be weighed against the potential to
780 decrease the chance of those persons coming and
781 transmitting in the United States. So at that time, this
782 effort was the appropriate one for the amount of
783 transmissions we were seeing in that area of the world.

784 Q Did that change over the next month or so as
785 the outbreak was occurring in Europe?

786 A Say that one more time.

787 Q Did that change over the next month as
788 outbreaks became apparent in Europe?

789 A Absolutely. As the understanding of the virus

790 changed, as we increased information about transmission,
791 the identification of cases inside the United States, et
792 cetera, so all of those played into decision-making about
793 border -- the use of border measures as a means for
794 control.

795 Q Are you aware of anyone at CDC requesting
796 additional data from airlines regarding passengers?

797 A There were a number of discussions, again
798 mostly driven by already existing regarding capture of
799 information about travelers. So the means for collecting
800 that data as efficient and timely a manner did, I believe,
801 require a lot of discussions that I think eventually were
802 addressed.

803 But there were measures, as I'm remembering them all,
804 the CDC and the HHS assisted in helping to collect some of
805 that information so that we were getting as quick
806 information as possible about those individuals so that it
807 could assist with any potential contact tracing that might
808 exist.

809 Q And did CDC run into any resistance from the
810 airlines about that specific data needed for contact
811 tracing?

812 A From what I recall, the effort was considered
813 a big lift, I think, by the airlines in that the amount of
814 information was more than they had been required to do

815 previously and in order for it to be implemented would
816 require a significant amount of information technology
817 changes.

818 Q Is that something CDC was working with other
819 government agencies on getting?

820 A I believe there were a number of engagements
821 with other parts of the federal government and that's one
822 that, I think, Dr. Cetron may be able to answer. I don't
823 recall all the components of that issue.

824 Q Do you recall if airlines started providing
825 that information to CDC?

826 A I don't remember the dates. I do remember
827 that we deployed staff to collect information and that -- I
828 don't recall all the details of when those changes
829 occurred. I just recall that they were an issue for a
830 period of time.

831 Q Moving forward to the other early steps. So
832 as we discussed, the first case from international travel
833 was reported on January 21st. The second on January 24th.
834 Can you talk about, in that week between the 17th and the
835 24th, how did the confirmation of these cases change the
836 CDC's response?

837 A So the confirmation in the first case
838 certainly led to an accelerated activities in the standing
839 up of our CDC operations center. A number of activities

840 were initiated and also working through the kinds of
841 communications and recommendations that needed to be made
842 for informing the public, indicating what public health
843 officials can do and what individuals can do.

844 The lockdown of Wuhan during this period of time also
845 identified that there was a significant activity happening
846 in China that was beyond what we had seen with the prior
847 large respiratory outbreaks that indicated that the concern
848 level was definitely rising over the potential for impact
849 was rising as well.

850 We worked to evaluate the first sequences that were
851 released from China on the viral sequence of the
852 SARS-Co-V-2 virus, evaluating whether or not our own
853 diagnostics were going to be able to detect that or if new
854 diagnostics were needed. Evaluated with BARDA and others
855 the potential for any therapeutics that might be available
856 that could be used.

857 And then also began looking through past pandemic
858 planning materials to assure that we were addressing the
859 needed steps with have to do with all those different
860 pieces that become task forces communications,
861 implementation of laboratory diagnostics and surveillance.
862 All of those things were stood up.

863 So through that period, as the EOC was standing up,
864 we were assuring that each of those arms of the response

865 were doing the planning and working to rapidly implement
866 the needed components for situational awareness and were
867 preparing for significant interventions needed.

868 [Majority Counsel]. My hour is up, so if that's a
869 good place to turn it over to my colleagues.

870 Well, before that, we can take a five-minute break.

871 The Witness. Sure, great.

872 [Majority Counsel]. We'll start back up at 10:05.

873 (Recess.)

874 [Majority Counsel]. I'll turn it over to our
875 colleagues from the Minority for the next hour.

876 BY [MINORITY COUNSEL].

877 Q Dr. Jernigan, [Redacted] from the Republican
878 staff. I just have a few quick questions.

879 Are there still U.S. government imposed travel
880 restrictions related to the Omicron variant of COVID-19?

881 A I am not a part of the response. I really
882 can't speak to the current policies.

883 [Minority Counsel]. That's all we have then. I'll
884 let you get back.

885 [Majority Counsel]. Okay.

886 BY [MAJORITY COUNSEL].

887 Q So we were in the January 21st/January 24th,
888 time frame. I want to move forward to the end of January,
889 January 30th when the first instance of person-to-person

890 spread was announced by the CDC. So that announcement was
891 made on January 30th. And can you tell us a little bit
892 about what was learned from that development?

893 A So the characteristics of the virus and how it
894 moves through the population is something that is needed
895 for a number of different reasons, for how we intervene,
896 how we model and forecast what may happen. So I believe up
897 to that point there were probably reports from other places
898 where the capability for the virus to be spreading from
899 person to person could occur.

900 So there was an increasing understanding that once
901 that community transmission was characterized, that is, how
902 fast it was spreading, how much the disease was going from
903 one to more than one person, that information really helps
904 understand the kinds of efforts you need to be intervening
905 with.

906 For SARS 1, we did not see a lot of transmission
907 outside of settings where there was significant viral
908 contamination like in the hospital during intubation. So
909 in recognition, verification of the ability of that virus
910 to move from person to person without stopping was critical
911 to meeting those definitions of pandemic, but also for the
912 expectation that there would be continued spread within the
913 community.

914 So as that was characterized in the United States, it

915 indicated that travel association would not be a targeted
916 part of the identification of potential cases, but that the
917 aspects of any travel association was an important
918 component that affected case definition.

919 Q The first instance of person-to-person spread
920 was announced on January 30th. The first instance of
921 possible community spread was reported on February 26th; is
922 that --

923 A Say that one more time.

924 Q The first instance of possible community
925 spread was reported about a month later on February 26th;
926 is that right?

927 A I'd have to go back to my notes to find out
928 the actual time.

929 Q But is that timeline --

930 A The act of community spread, I'd have to look
931 back and see what the timeframes are. I know that
932 the -- the expectation of that likely occurrence was we
933 expected that could be happening given what we were seeing
934 elsewhere in the world with the virus.

935 Q Okay. But the actual announcement from
936 CDC -- I'll show it to you as an exhibit after a break.
937 But it was on February 26th, there was a media statement
938 that said, "CDC confirms possible instance of community
939 spread of COVID-19 in the U.S."

940 A That's not --

941 Q I can get it for you. But this gap between
942 January 30th, the first instance of person-to-person spread
943 and then the first instance of community spread, can you
944 tell us why that gap existed?

945 A So I'd have to recall that at the time the
946 person-to-person spread indicated that there was movement
947 from one person to another. Trying to think if that was
948 the Chicago one or not. And then I think later the
949 demonstration of there being continued transmission within
950 the community may be what you're referring to on the 26th.

951 Q Do you not believe that community spread was
952 occurring before that time, before February 26th?

953 A So -- and again, I'd have to go back and
954 review things. But the anticipation might have been
955 unrecognized cases in travelers prior to our first case
956 identified in Washington state. There is that chance.
957 There are some I think that would indicate that, especially
958 on the East Coast where there were travelers returning from
959 Europe, that there might be ongoing spread in that setting
960 as well.

961 There were some studies that tried to characterize
962 how much we thought that was happening, if I'm recalling.
963 But those studies didn't indicate that there was a
964 significant amount of transmission. We recognize now that

965 even a few seeding events because of the spread that this
966 virus can have, the transmissions can have, that there
967 could be, especially once we understood that there was
968 asymptomatic transmission, that that transmission could
969 have been occurring.

970 However, as a cause of severe illness or as a cause
971 of significant identified illness in those returning
972 travelers or those in contact with them, the system that
973 was in place did not detect those. Was that missing
974 significant transmission? I can't say, but there are some
975 indications that would say that that is not the case. The
976 active transmission really began after that first period,
977 so it started to detect cases.

978 Q What were the systems in place to detect that
979 kind of spread at the time?

980 A So the influenza, which was closest model for
981 what was likely to come, for that system there were
982 syndromic surveillance that was in place that was utilizing
983 automated electronic reporting of regulations from
984 emergency departments. I don't have a number of the total.
985 But 60 or so percent of all emergency departments in the
986 U.S. were participating in that.

987 So as a detector of increased respiratory encounters,
988 there was not a signal there. The difficulty is that the
989 timing of the first case was consistent with the influenza

990 season and so there were significant other respiratory
991 diseases that were circulating at the time.

992 In addition, we at CDC can monitor through a system
993 called NREVSS or National Respiratory and Enteric Virus
994 Surveillance System. That's a network of hospitals that
995 have persons that are reporting every laboratory test both
996 positive and negative for respiratory diseases.

997 And so our -- that system would be looking at those
998 being admitted for respiratory problems. That additionally
999 did not indicate a significant increase in the negatives
1000 showing up, which would have been an indicator of
1001 increasing spread of unknown illness cause.

1002 As we initiated enhanced surveillance looking at
1003 those individuals recently traveling by screening at
1004 airports and then also by utilizing central surveillance
1005 systems that were established for influenza specimens
1006 collected through those systems which each state and large
1007 jurisdictions are supported with. Those systems were
1008 anticipated to help identify cases and have them submit.

1009 In addition to communicating, the recommendation to
1010 test those that met certain criteria, that led to the
1011 condition of specimens to public health labs, which then,
1012 depending on the timing, could be tested at CDC or should
1013 be tested at state health departments.

1014 We worked with CMS to also utilize the real time

1015 systems that they have for testing -- excuse me, for
1016 reporting based on claims, which is information that's been
1017 turned around quickly. We worked at the National Center
1018 for Health Statistics to monitor all causal mortality and
1019 the mortality due to pneumonia and respiratory diseases,
1020 which is now the sort of gold standard for monitoring
1021 deaths for COVID.

1022 We established, based on our hospitalization network
1023 called FluSurv-NET, that was translated into COVID-NET
1024 which established or based, I believe about 30 million
1025 people under surveillance in central locations of
1026 hospitalizations. Each was able to detect and characterize
1027 hospitalized cases and help with the first indications of
1028 the disparities in health equity that eventually became
1029 apparent. There were -- later, in the response for vaccine
1030 effectiveness, that was also utilized.

1031 Again, we attempted to establish additional
1032 community-based surveillance networks to characterize the
1033 full spectrum of infections occurring through selected
1034 communities.

1035 Q So you described a number of different
1036 surveillance systems there. I know this is your area of
1037 expertise. So maybe we can just break this down a little
1038 bit in practical terms. Let's start with the syndromic
1039 surveillance, you said 16 percent of --

1040 A I think it's 60. It's currently 70 or more.

1041 Q Okay.

1042 A But the expansion has continued significantly.
1043 So this is the National Syndrome of Surveillance Program or
1044 NSSP. So there's information on the web -- CDC website
1045 that describes it more fully. But it captures every
1046 encounter in emergency departments and large urgent care
1047 centers representing about 70 percent of all of them in the
1048 U.S. with about a 24-hour turnaround for information about
1049 those encounters.

1050 Q We're talking about actual data and not data
1051 from at that time 60 percent of emergency rooms, not
1052 projections; is that right?

1053 A These are data that come from the electronic
1054 health records, admission, discharge, and transfers. It's
1055 a feed of actual computer data from those systems in real
1056 time.

1057 Q And at that time, if we're talking late
1058 January to the end of February, about 60 percent of
1059 emergency rooms?

1060 A Initially it was 70 percent. It may have been
1061 less than 60. I don't recall.

1062 Q And the second system you described was the
1063 NREVSS system?

1064 A NREVSS.

1065 Q Okay. Can you tell us how that works and how
1066 the reporting work?

1067 A So that's on the web as well. That's the
1068 National Enteric Respiratory Viruses system. So that's a
1069 means for monitoring RSV, other enteroviruses,
1070 parainfluenza, and some others, coronaviruses. Like
1071 coronavirus, maybe not SARS.

1072 And so that is a network of generally web-based entry
1073 from laboratory and infection control personnel at
1074 hospitals where they collect on a weekly basis for the
1075 numbers of tests that have been performed for those
1076 particular reagents and also the positives for them. So
1077 that's a means for how RSV is monitored nationally as well
1078 as other viral pathogens.

1079 So that system was in place, and the indication that
1080 more tests were being performed and the tests were not
1081 positive would be -- would have been a signal for increased
1082 activity of unexplained respiratory pathogens.

1083 Q Given that there wasn't a scaleup of the
1084 diagnostic tests for this virus yet, the running of other
1085 tests and the negative results is an indication that
1086 something else is out there?

1087 A Correct. I think the recommendations were
1088 that systems should utilize the breadth of tests that were
1089 available and then to hone in on the facts of whether or

1090 not that individual was a case or not of SARS-Co-V-2.

1091 Q The influenza system at the Sentinel Public
1092 Health Lab, that was started in mid-February; is that
1093 right?

1094 A That system was initiated in the 1960s or
1095 '70s. That was a system that had been in place for a
1096 number of years in various different forms. So it varies
1097 from state to state, but in general, there are networks of
1098 primary care providers who swabbed individuals who come in
1099 with respiratory disease, place those swabs into a
1100 transport media or into a bag and send them to the public
1101 health laboratory where they were further characterized for
1102 respiratory disease as part of our ongoing surveillance.

1103 So that system was in place, and those specimens,
1104 because of the types of symptoms, would have been highly
1105 correlated with modern SARS-Co-V-2 case would have been and
1106 therefore the identification of an increase in negatives
1107 there before testing was available would also have been an
1108 indicator. And once reagents were available at those
1109 jurisdictions, then that system could be utilized to assist
1110 in identifying cases from the community.

1111 Q And looking at this period, there were people
1112 working under you looking at all of this data. Can you
1113 tell us a bit about how that worked in the incident
1114 management structure and across these different systems

1115 what they were seeing?

1116 A So as the incident management structure was
1117 stood up from the 20th or so on, initially we had an epi
1118 and surveillance task force which accounted for both
1119 emergent case-based surveillance, which we haven't talked
1120 about yet, as well as the ongoing networks and
1121 investigations that were put in place.

1122 So to re-characterize the situation, CDC and other
1123 public health agencies needed to do more than just ask for
1124 the numbers of cases that are being detected. They also
1125 need deep dives of investigations in order to fully
1126 characterize severity, transmission, household
1127 transmission, et cetera.

1128 So the decision was made to separate out into two
1129 task forces because the level of effort was so strong where
1130 there was an epidemiology task force that was largely
1131 managing the big networks as well as standing up teams of
1132 investigators to various sites in order to fully
1133 characterize the impact and the -- and epidemiologic
1134 factors that help us to make better intervention policies.

1135 The surveillance task force became more of a
1136 situational awareness, data analysis and visualization
1137 group and that group became fully supporting the emergent
1138 case-based surveillance activity.

1139 Just as an aside, influenza occurs commonly. The

1140 majority of cases are mild, but a handful can have
1141 significant impact. And for that reason the detection of
1142 influenza as a monitoring approach, in order to make best
1143 policies, focuses on severe illness. And so hospital
1144 networks for the flu are a general driver of information of
1145 events used to make policy and help with vaccine target
1146 selection.

1147 So the same approach was initiated with COVID-NET,
1148 which we talked about a second ago. But flu does not try
1149 to capture every case of influenza mostly because most
1150 people don't get tested, and that most of the testing
1151 occurs in settings where the information cannot be
1152 captured. And so it has not historically been captured.

1153 So with SARS, with the recognition that every case
1154 was going to be counted, the traditional approach of
1155 portable diseases be investigated by the health department,
1156 and then fully characterized, turned into a confirmed case,
1157 and then reported to CDC was a process that did not scale
1158 with the need for the case-based surveillance. And that
1159 need was the response again to support that need with a
1160 much more robust approach to that.

1161 Those are the structures that were stood up during
1162 that period of time to help support the different agencies.

1163 Q Dr. Messonnier announced publicly on February
1164 14th the use of those Sentinel labs. And that was in

1165 Los Angeles, San Francisco, Seattle, Chicago, and New York
1166 City. And those labs were going to start using the
1167 influenza surveillance system, but start testing for the
1168 new virus; is that right?

1169 A Correct. Initially, the anticipation was that
1170 the Sentinel approach, because it had -- was in place and
1171 was collecting specimens was an ideal approach for getting
1172 a quick view of what was happening in the community once
1173 COVID testing was available. So the Sentinel systems were
1174 in place.

1175 The enhancements to those by providing support and by
1176 providing the diagnostics early to those ones that you just
1177 listed were an attempt to not only captured those that were
1178 symptomatic when coming in for respiratory problems, but
1179 also for -- to try and get asymptomatic transmission as
1180 well. So the community testing was intended to help give
1181 additional information about the potential for asymptomatic
1182 spread within the community in addition to the work that's
1183 normally done for the flu Sentinel.

1184 That process was lengthy in order to get those
1185 systems set up. There were differences in execution,
1186 differences in opinions on how best to design and implement
1187 that approach. I think as we move forward to help support
1188 the implementation of those activities, we recognized that
1189 the risks to those public health agencies at identifying

1190 the first cases of asymptomatic spread led to more
1191 difficulties in executing than had been anticipated.

1192 Q What were those difficulties?

1193 A That public health agency or state leadership
1194 would not or did not want to be the first to have community
1195 spread identified in their close communities. There were
1196 complications around the collection of specimens from
1197 individuals that would have been needed to be identified in
1198 order to help first characterize community spread that once
1199 identified or once detected, the onus on the public health
1200 agencies would be to require those individuals to isolate
1201 and do contact tracing on them.

1202 So it was suggested then that in order to do
1203 asymptomatic evaluation, informed consent would need to
1204 occur for each of those individuals that was providing the
1205 specimens. So that then initiated a series of requirements
1206 based on state laws and federal laws around protection of
1207 humans in studies.

1208 Q And do you believe that setback, the
1209 responses, impacted the ability to detect community spread
1210 earlier?

1211 A For that particular system that was being
1212 established, there were differences in how it should be
1213 implemented within the jurisdictions at leadership levels
1214 as well as the implications of how that study could be

1215 implemented created issues for the successful
1216 implementation.

1217 Q Would national leadership of surveillance and
1218 testing have made a difference in terms of making it a
1219 uniform system in getting past those sort of roadblocks
1220 between jurisdiction?

1221 A In general, I think identification of common
1222 approaches and agreements across agencies is always a good
1223 thing in terms of speed and assurance, best implementation,
1224 but also of getting data that may be most accurate.

1225 Q Moving forward, in late January, January 29th,
1226 the President announced the formation of the coronavirus
1227 task force and that was originally chaired by Secretary of
1228 Health and Human Services, Alex Azar. Did you as incident
1229 manager provide any input on the structure of this task
1230 force?

1231 A I don't believe so.

1232 Q Any input on the agenda of the task force at
1233 that time?

1234 A Not the agenda, per se. I think we were in
1235 communication with the secretary's office regarding updates
1236 on the things we were learning and then engaging with
1237 Dr. Redfield on the intervention plans and investigation
1238 plans. And there was continued dialogue between the
1239 secretary's office and our office; and with the standup of

1240 the task force, the connection to that task force to our
1241 director through the secretary, it was consistent with the
1242 communication channels that we were having.

1243 Q Can you talk specifically about your role in
1244 that communication chain with the director?

1245 A I don't recall the actual times, but we would
1246 meet as a response early in the morning and then we would
1247 have a subsequent meeting with Dr. Redfield at 9:00 or
1248 9:30. We would present the incident management updates,
1249 sometimes with him present; sometimes he was not able to
1250 attend.

1251 Those incident management updates, we walked through
1252 each of the different activities like we talked about with
1253 the task forces. That information was summarized and
1254 provided, and then there were -- I don't remember if the
1255 secretary's briefings were in the early morning, and then
1256 those sort of changed to occurring in the afternoon.

1257 Q Did the standup of the coronavirus task force
1258 in the White House, did that change your responsibilities
1259 as incident manager?

1260 A Not -- I don't believe it did. It has since
1261 formalized the engagements of what the CDC was doing into a
1262 forum group. In some ways, an effort at the agency was
1263 disproportionate to that from other agencies and the
1264 structure of the task force was such that it was -- I think

1265 the full breadth of all the activities happening within CDC
1266 it's difficult to have groups fully represented or
1267 communicated to that group simply because there was so much
1268 happening, the focus was on a number of health issues at
1269 that time.

1270 Q Why was it difficult? You mentioned the
1271 breadth of things that CDC was doing. Why was it difficult
1272 to get that communicated to the task force?

1273 A I think we -- the process we had been using
1274 through the early years was to have a number of task forces
1275 that reported in and then summarized that information
1276 that's presented as a set of slides as a situation report
1277 on a daily basis.

1278 When we opened that up to folks from around the
1279 inter-agencies, I don't know how frequently they were able
1280 to get the full breadth of that information and summarizing
1281 of that information into the White House task force. I
1282 don't know if we achieved really a sufficient means of
1283 communicating that information to them. I think the focus
1284 was more on near-term issues around borders and other
1285 things like that.

1286 Q But you also -- I guess in this period, also,
1287 repatriation would be one of those near term?

1288 A Correct. There were critical needs for
1289 getting U.S. citizens back to the U.S., that are very

1290 reasonable activities, but I think they did pick up a
1291 significant amount of discussions that were happening.

1292 Q What do you think wasn't being put on the
1293 agenda that should have been at that time?

1294 A I think just from past history, past
1295 exercises, past agents for other respiratory disease
1296 outbreaks, the thinking forward of what plans would be
1297 needed, what official direction that this might go. If so,
1298 what kinds of communication messages or preparations needed
1299 to be occurring in order to assure that the public has a
1300 sense about what might happen, but also the needs for
1301 supporting health care and supporting nonpharmaceutical
1302 interventions for the stopping of transmission, that all of
1303 these things needed to be a part of the full breadth of the
1304 engagements.

1305 Q And did you personally try to get those
1306 longer-term priorities on the agenda of the White House
1307 task force?

1308 A From experience from the past publications
1309 that we've had on frameworks for how to engage during
1310 pandemics, with an understanding of the potential of a
1311 large respiratory outbreak would take, and then also based
1312 on past developments of guidance around communication,
1313 those were -- those documents and prior plans that we
1314 anticipated would be a part of the conversation for how to

1315 develop the approach for the coronavirus response.

1316 Q Anticipated that being part of the discussion,
1317 did that come to pass while you were incident manager?

1318 A So there were some discussions within the CM.
1319 I don't remember the exact groups, but they were focused
1320 mostly around how to engage the inter-agency for a whole of
1321 government response for repatriation and for those kinds of
1322 activities. So there was coordination with all parties,
1323 but certainly State, HHS, NSC, et cetera. That
1324 coordination is a role for the NSC, but the NSC
1325 historically also plays a role of coordinating planning and
1326 they developed subgroups within the response structure at
1327 the NSC in order to ensure that those alternatives are
1328 being considered and that planning is set in motion.

1329 Q Do you recall who you were engaging with at
1330 the NSC?

1331 A I don't.

1332 Q You were engaging with the NSC, but it seems
1333 like those longer-term issues were not getting on the
1334 agenda ultimately of the task force?

1335 A I think there was historic NSC engagement
1336 around planning and pandemic preparedness which had led to
1337 a number of different national plans. In addition, the
1338 expectation would be a broader interagency response with a
1339 well-known lead related to exercises that we had had

1340 previously in 2019 as a whole of government response. And
1341 those exercises did follow along the existing plans for how
1342 the government would respond, including the considerations
1343 about mitigation measures that might be needed based on
1344 severity of the emerging pandemic.

1345 So those documents were presented. They were largely
1346 not from the current Administration, they were from prior
1347 administrations. And so I don't know the decisions that
1348 were made about the need for current planning efforts in
1349 the capacity level that might not be targeted to this
1350 particular coronavirus.

1351 Q You were previously engaged on those plans,
1352 those plans existed, but they weren't put into place
1353 essentially is what you're saying in --

1354 A I think the revision of those plans, I think,
1355 is an area that -- where progress could have been made more
1356 quickly. I think the efforts to revise those plans was
1357 not -- not chosen.

1358 Q Do you know why?

1359 A I think that the -- I think there was a sense
1360 that prior plans represented plans from the previous
1361 administration when in fact many of those plans were
1362 established in the prior -- to the prior administration in
1363 that new plans would be needed or that those plans did not
1364 represent the current approach or a new approach might be

1365 needed.

1366 Q Who communicated to you that that was the
1367 position of the current administration?

1368 A There was not a direct communication about
1369 that. I think that's my assessment of various
1370 conversations that I can't recall.

1371 Q Generally, who did you get that sense from,
1372 those conversations, with people in the administration?

1373 A Through NSC and, yeah, generally -- yeah,
1374 leadership within the administration.

1375 Q And who did you generally deal with in the
1376 administration at that time related to those discussions of
1377 pandemic planning?

1378 A There were staff that I can't recall the names
1379 of now. The secretary we were having to coordinate a lot
1380 of those discussions as well as the staff of the CDC.

1381 Q And did that decision to not follow the
1382 previous administration's plan cause delay in those
1383 important long-term measures?

1384 A It's hard to say. All plans have to be
1385 revised. And so I think the focus on what might have
1386 occurred based on some of the prior planning, I think,
1387 either laid out a set of areas where a determination of
1388 what plans were needed and what kinds of revisions were
1389 needed for those plans would have been helpful as a

1390 coordinating activity out of the NSC.

1391 Q What specifically in those plans do you think
1392 should have been on the agenda at this particular time?

1393 A I think we anticipated ongoing transmissions
1394 in the community and the need to implement
1395 nonpharmaceutical interventions prior to the vaccine and
1396 prior to there being therapeutics. Those are difficult
1397 measures to implement, and so the identification of some
1398 if/then scenarios would be revisited with a certain level
1399 of severity -- a certain level of transmission given a
1400 certain period we would like to then implement these kinds
1401 of measures.

1402 And so I think walking through and indeed determining
1403 what the accessibility, both the public accessibility as
1404 well as the administration, would be a reasonable set of
1405 activities to have been working through. So they had been
1406 occurring just outside of the engagement, but I was not
1407 aware of significant activity. If it was occurring, I was
1408 not part of it.

1409 Q And the general sense you got was that the
1410 existing plan was not going to be followed for those
1411 particular interventions?

1412 A Just to be fair, I do not know how familiar
1413 they were with them. And I think the individuals that may
1414 have had experience with those plans and had participated

1415 in the development of those were not available to help.

1416 And so it's hard to say. I think that the focus on those

1417 would be reasonable, but there may have been not enough

1418 people, people that were available to assist.

1419 Q Why were those folks not available who had

1420 expertise?

1421 A I think those efforts had been changed, the

1422 structures of NSC had been changed, and so the availability

1423 of those staff to be called on I think was not there.

1424 Q Are you referring to something that was

1425 reported in the news that restructuring of the NSC, the

1426 staff, particularly focused on pandemic preparedness; is

1427 that right?

1428 A So I am speaking to the experience of the

1429 absence of those individuals. How that happened, I don't

1430 have knowledge of.

1431 Q What impact did the absence of those

1432 individuals on the NSC staff have on the response at that

1433 time?

1434 A The coordination of interagency activities was

1435 one of the responsibilities for the NSC. And so I think

1436 recognition of the assets that were available and how those

1437 assets had been exercised or used in past outbreaks, I

1438 think, would have been an area where the expertise would

1439 have assisted in accelerating that kind of planning, but

1440 also the structures in place to assure that that rollout of
1441 the response could be successful.

1442 Q Turning to January 31st, that's the day that
1443 the secretary declared a public health emergency and that
1444 was also the day that by presidential proclamation entry
1445 from China was suspended and called for additional
1446 screening and possible quarantine.

1447 Can you tell us about your involvement in that
1448 decision on January 31st to suspend travel from China?

1449 A This is, again, an area where Dr. Cetron would
1450 be more familiar with the details. But the decision to do
1451 that was one that had a considerable amount of discussion,
1452 in part because as a party that engages with WHO on a
1453 weekly basis, the longstanding approach was to not try and
1454 stop international travel. I think that was mentioned
1455 previously.

1456 The data from past responses suggested that there
1457 could be benefit from it. As the severity was becoming
1458 clear, as the Wuhan lockdown was demonstrating, and as the
1459 identification of cases in the United States was occurring,
1460 and certainly happening elsewhere around the globe, we
1461 evaluated the longstanding stance on the issue, as CDC
1462 arrived at a decision to go forward with those efforts at
1463 that time.

1464 And so the federal quarantine rule had not been used

1465 in years. I can't recall exactly, but that was a decision
1466 that made sense, and was where we arrived at CDC through a
1467 number of engagements and discussions within our agency.
1468 But the decision there was one in which we, and I, was on
1469 board with.

1470 Q Moving into February, that announcement made
1471 on January 31st, what were the priorities for the incident
1472 response moving into that timeframe in February? We can go
1473 to Exhibit 2 is a slide show. The COVID incident manager
1474 update from Monday, February 24th.

1475 (Exhibit No. 2 was identified for the record.)

1476 The Witness. I think the priorities are listed
1477 there. If you look at specific priorities that we had
1478 stated, because we revised each day.

1479 BY [MAJORITY COUNSEL].

1480 Q I think I'm just generally looking for, what
1481 were you concerned about? What was the focus of the work
1482 in February?

1483 A Yeah. So I mean, I think these state it well,
1484 that we were focused on detection as best we could,
1485 utilizing the capabilities that we had at CDC for
1486 diagnostic testing. So detecting cases, detecting contacts
1487 to those cases, and assuring that we were minimizing the
1488 potential on the transmission, if there was any.

1489 We wanted to work not only through the U.S., but work

1490 with outside partners to make sure we were understanding
1491 the virus as fast as possible, how transmissible it was,
1492 how severe it was, and what kind of disease profile that
1493 the virus was going to cause. It would help us with
1494 policies around infectious control, policies around
1495 treatments of individuals, and for best approaches to
1496 community mitigation as well.

1497 We were focused elsewhere, also, on getting those
1498 U.S. citizens that were being caught in situations where
1499 they had the potential to not be able to leave, and trying
1500 to get those folks repatriated. Issues around border
1501 control. And actually what FEMA implemented seemed
1502 reasonable and would have been similar to what we had at
1503 the time.

1504 And then we, the staff at CDC, was historically
1505 connected with the countermeasures and nonpharmaceutical
1506 resources, assuring that they focused on PPE, focused on
1507 vaccine development, better diagnostic development, as well
1508 as assuring that the needed support for the therapeutics
1509 was also available. So countermeasures, border control,
1510 identification of cases with contact, communicating what
1511 was going on, with this overall goal to really slow the
1512 introduction, characterize the virus, and prepare the
1513 American public.

1514 Q And just taking a step back, looking at

1515 Exhibit 2, can you tell us how these meetings worked, the
1516 IM update?

1517 A We would have -- I don't know, there's an
1518 agenda listed here. The meeting would open, some comments
1519 would be made by me, the immediate priorities would be put
1520 up.

1521 As that changed, we would communicate what those
1522 were, but we wanted everyone to be focused on the main
1523 effort at hand. We moved to -- the next slide is a
1524 revision of a diagram from federal management planning
1525 efforts, in order to help us understand where we were in
1526 the current issues, both globally and domestically.

1527 This was intended to connect back to existing
1528 recommendations about how to respond, based on what area
1529 you're in. So the goals here would be to continue to speak
1530 about where we are now, but looking to the right, what is
1531 going to happen is what do we need to do within that
1532 interim where we are, and what do we do with subsequent
1533 efforts.

1534 The next slide, which has the --

1535 Q You don't need to go slide by slide.

1536 A The first ones are all parts of past planning
1537 efforts that needed to be put at the front of our
1538 discussion, in order to ground folks to be thinking about
1539 not only now, but what will happen as well.

1540 Q And these meetings occurred how frequently?

1541 A I'm trying to think. It certainly became
1542 daily all three weekends, starting in the end of January,
1543 February. But in the case of these, I think they may have
1544 been on an every other day basis, with sort of a smaller
1545 strategy discussion around the same time. But the goal was
1546 to use these to bring everybody up to speed to the full
1547 response, to watch this, and understand what each other was
1548 doing for ease of the administration.

1549 Q The full response within CDC, within the
1550 incident management structure?

1551 A It's within the incident management structure,
1552 but the availability of other people at CDC, usually
1553 leadership positions, to watch. In addition, others, as
1554 the response went on, were added to the meeting. The
1555 balances between communicating with those that need to be
1556 communicated, and assuring others know about it. But the
1557 capability for this information to then get misused or sent
1558 out widely would be available for these participants.

1559 Q Who came to participate in the meetings?

1560 A Certainly all of the incident management
1561 staff. We -- in terms of leadership, you know, I would
1562 look back at the list of all those that are receiving it.
1563 But certainly high leadership was ASPR, it was OASH was on,
1564 and others.

1565 So we made it possible for a very specific group of
1566 folks to be able to join. And then Dr. Birx eventually
1567 later on was sent copies of this on a daily basis. And
1568 then the White House task force eventually did start to
1569 participate as well.

1570 Q And moving to the priority slide, again, I
1571 think that is slide number 5, ending 434. The third bullet
1572 there that says, "characterize the transmission, notably
1573 human to human transmission, and potential for asymptomatic
1574 transmission." What was known at this time or around this
1575 time about asymptomatic transmission?

1576 A Not a lot. This is at what time? You're
1577 talking about at this time?

1578 Q At this time, let's talk about this time, so
1579 the 24th.

1580 A So I'm trying to -- there were studies that
1581 were coming out that indicated that asymptomatic
1582 transmission was possible throughout February, if I'm
1583 recalling, and the likelihood of that. But there was a
1584 sense that we would be getting some of that.

1585 Influenza had the capability to transmit
1586 asymptotically, so this was not something that was fully
1587 outside the realm of expectation. SARS in the past and
1588 MERS was generally felt to be only transmissible at the
1589 point at which it was noted.

1590 However, with this virus, it did become clear that
1591 while asymptomatic itself, we weren't clear about, what
1592 some refer to a paucisymptomatic or low symptomatic
1593 transmission was a rising concern. And therefore, the
1594 expectation was asymptomatic or some degree of the overall
1595 transmission could be attributed to transmission that was
1596 occurring outside of an individual's symptoms.

1597 So it was -- I don't recall exactly at the point in
1598 which we called it. It may be in here, but I don't recall
1599 when we made that proclamation.

1600 Q Looking back, was the focus on symptoms, for
1601 example in the airport screenings, you know, what -- by
1602 focusing on symptoms, do you think that importations of the
1603 virus were missed? And going forward, what have you
1604 learned about that particular focus on symptoms early on?

1605 A There were a couple of things. One was that
1606 the focus on symptoms was driven by the available
1607 experience with SARS and MERS, which at this point, the
1608 virus was different from, but still fell into that same
1609 space, hence the term SARS-CoV-2.

1610 So the focus on symptoms, I think, intended to help
1611 characterize or help to funnel individuals into the process
1612 in which they were then to be tested. The starting of
1613 asymptomatic cases among the total travelers, given the
1614 symptomatic to asymptomatic detection rate, would have

1615 been -- it would have been hard to have justified, based on
1616 the information we knew about the virus at the time.

1617 In addition to us -- to folks focusing on symptoms,
1618 the individual was also asked to monitor themselves after
1619 they had left the screening. And therefore, that
1620 additional potential for those individuals to be detected,
1621 once they developed symptoms helped with the identification
1622 of -- in terms of it may have been asymptomatic, but became
1623 symptomatic.

1624 The system would not have picked up individuals who
1625 were asymptomatic at screening. And in that status, even
1626 though they were carrying the virus and able to transmit,
1627 however the degree to which that level of transmission
1628 participated in the overall community transmission, I don't
1629 recall how significant that part is. It can occur, but it
1630 may not be the predominant means for transmission compared
1631 to symptomatic and asymptomatic individuals.

1632 Q I think that this would be a good time. My
1633 hour is up, and I will turn to my colleague from the
1634 minority to see if they have any questions. Before we do
1635 that, I'll ask you if you want to take a five-minute break
1636 or are you okay with it?

1637 A I think maybe we can take a five-minute break.
1638 That would be helpful.

1639 (Recess.)

1640 BY [MAJORITY COUNSEL].

1641 Q Slide 53?

1642 A This one is Exhibit 2?

1643 Q Yes.

1644 A Slide number 50 what?

1645 Q 53. And in the PDF, it's page number 56.

1646 A 56. All right. Okay. Is this the -- what's
1647 the title of this?

1648 Q Modeling team.

1649 A I've got importation risk. Is that the one
1650 you're talking about?

1651 Q The one prior.

1652 A Okay. All right.

1653 Q Okay. I wanted to ask you about the work
1654 being done by the modeling team and the predictive work
1655 that was being done by CDC around that time.

1656 A Based on past responses, the important role of
1657 modeling and forecasting was something that we began
1658 incorporating into the planned standup of a response, and
1659 then exercised it in 2018-2019. And then implemented it
1660 for this response. And so as a team, it's -- we stood up
1661 that team. It's a mixture of individuals with various
1662 locations within the agency that had different modeling
1663 expertise that worked in concert to address scenario
1664 modeling and near-term forecasting.

1665 Q What data did they use to focus on?

1666 A So they used various different data sources,
1667 depending on the type of effort that they have. For those
1668 doing forecasting, they generally focused on a small set of
1669 well-characterized information about the numbers of
1670 emergent cases, hospitalizations, and deaths. And the
1671 location of those individuals, to assist with predictions
1672 for forecasts of cases, hospitalizations, and deaths.

1673 For scenario modeling, which would be trying to
1674 answer questions about what are some different
1675 possibilities that might occur based on different kinds of
1676 interventions, and based on the characteristics of the
1677 virus known at that time. So for those scenario models, it
1678 would be used on both the case information, but also
1679 various data taken from CDC investigations, and other
1680 investigations that had been published that informed the
1681 transmissions severity characteristics of the virus.

1682 Q Looking at this slide on the February 24th, it
1683 says that "modeling indicates that it is likely that some
1684 U.S. importations have been missed, e.g. New York, New
1685 Jersey, Connecticut area. There have likely been
1686 additional introductions from China into countries that
1687 have not yet recorded cases, e.g. Thailand and Indonesia.
1688 And there is an increasing importation risk in multiple
1689 U.S. states and territories from multiple countries with

1690 emerging outbreaks, in California, Guam, Connecticut, New
1691 Jersey, New York, and Hawaii." Can you talk a little bit
1692 about this, these predictions for modeling and how CDC uses
1693 this prediction?

1694 A So the information that's provided from
1695 forecasts, which are generally in the two to four-week
1696 range, and based on prior disease characteristics of
1697 prior -- prior data, those forecasts are intended to help
1698 say what might happen in the next few weeks, in terms of
1699 case numbers. That's perhaps more important for quick
1700 identification of what hospitals might be seeing, and
1701 where, based on hot spot analysis of where virus may be
1702 having the biggest impact, which could assist in research
1703 allocations and implementation of policies by jurisdictions
1704 that could be targeted to those locations.

1705 For the scenario model, at this level, it's really
1706 intended to help identify what we might be seeing, in terms
1707 of increased transmission in the U.S., and where that might
1708 be happening, to help inform the longer term identification
1709 of what might happen in a few months.

1710 So between the forecasting and scenario modeling,
1711 both intended to help to characterize what has been
1712 happening with disease spread, and then to help determine
1713 how the -- what effect various different interventions on
1714 the slowing of that impact.

1715 Q Moving forward to slide 54, "importation risk
1716 in U.S. from Wuhan," and it indicates that there's an 85
1717 percent chance of at least one imported case in New York,
1718 New Jersey, Connecticut area. Along the lines of the other
1719 findings. Are these predictions shared outside of CDC?

1720 A So I can't recall this one directly listed in
1721 note E, why it's in a circle up there. We had in a color
1722 copy, that would be a yellow circle, where yellow indicates
1723 that it's at a level not for the public, but not at a
1724 highly controlled level of distribution.

1725 That system was set up -- I don't know if it was
1726 COVID or before, but it was set up for us to be able to
1727 have anyone who was watching this know what they could
1728 share more easily. And so that sort of moderate level 1
1729 meant that it -- had nothing to put up on our web. It may
1730 not have been publicly available, but was information that
1731 could be shared with leadership and with others.

1732 So the data basically support the sense that while we
1733 had screening in place, while we had some detection
1734 capabilities, the anticipation was that there were
1735 cases -- that the chances of there being imported cases
1736 certainly existed, and they had not been detected, and
1737 therefore could serve as seeds of community spread.

1738 Q Is that something you briefed others outside
1739 of CDC on?

1740 A These data were all made available to
1741 leadership. We circulated these slides whenever the data
1742 was produced. Our expectation was the findings that we
1743 were coming up with could be shared with leadership. I
1744 can't recall whether this one -- if we had a separate
1745 briefing on this alone or not. But these slides, by the
1746 26th and 25th, were getting a fairly broad distribution.

1747 Q And beyond the specific data, was this idea
1748 that cases were missed, and there had been an importation
1749 likely in the U.S., was that something that was being
1750 communicated by CDC to other agencies?

1751 A I don't know the specific instance, but it is
1752 this kind of information that should be communicated, that
1753 data. There is not an expectation that we captured every
1754 case. I think this was done in order for us to get a
1755 handle on how, given the approach that we were using, and
1756 given the opportunities for somebody to come here with the
1757 disease, what are we missing?

1758 So this was intended to help us understand the
1759 magnitude of what was not being captured. No surveillance
1760 system is perfect. Case-based reporting of every case is
1761 not actually case-based reporting of every case. And so
1762 these kinds of efforts are intended to help hone our
1763 understanding of what we are missing, or what we are able
1764 to capture through the methods that we used.

1765 Q So, of course, no system is perfect, but this
1766 prediction is pretty good, pretty spot on as that area
1767 became the epicenter within the next two weeks, two to
1768 three weeks. Was the warning about these importations a
1769 topic of discussion for the White House task force, for
1770 example?

1771 A I'm trying to think when actually it was
1772 discussed. When did the task force actually get stood up?
1773 That was --

1774 Q The task force was stood up on January 29th
1775 under Secretary Azar?

1776 A Okay. So at this point, we would have been
1777 well -- this is the kind of thing that the White House task
1778 force would have been communicating. We certainly would
1779 have been providing and informing through slide decks and
1780 key points. I don't recall how we communicated this
1781 information. But the fact is that a yellow meant that it
1782 was meant for wide communication within the leadership, and
1783 within the response.

1784 Q Were you aware, at that time, about
1785 preparations for these importations in the areas as
1786 predicted?

1787 A There was lots of discussions, and we were
1788 trying to communicate where the greatest likelihood of
1789 importation would be. And I think the question, per Dr.

1790 Cetron, that there are set number of locations where most
1791 individuals come to. And therefore, an expectation of
1792 where they reside. And looked at the community
1793 transmission is something that we would have communicated,
1794 but also the local jurisdictions that were responsible for
1795 those would have been aware of, to start with.

1796 Q Around this time, the following day,
1797 Dr. Messonnier gave her telebriefing that got widely
1798 reported, there seemed to be a shift in communications from
1799 the CDC, from containment to an understanding that the
1800 virus was going to spread in the United States. Can you
1801 talk about that process, in terms of your role moving from
1802 containment to anticipating community spread and
1803 mitigation?

1804 A So I think there was a sense, there was a
1805 bright line between containment and mitigation, which was
1806 not the way that we were -- it was not the way we had
1807 experienced in the past, and was not our anticipated
1808 measure.

1809 I think there is -- there were desires to have a very
1810 specific trigger at which we stopped doing one kind of
1811 thing, and start doing another thing. And in fact, many
1812 recognized that the virus could be having one impact in one
1813 area of the country and another impact elsewhere.

1814 So the containment, either through border issues or

1815 from varying enriched and targeted case and contact
1816 investigation versus beginning the implementation of
1817 community mitigation efforts, those two kinds of approaches
1818 need to occur at the same time, perhaps at different
1819 levels.

1820 So that expectation that there would be impact in
1821 different places at different times in the U.S. was,
1822 therefore, a need for the country to have targeted
1823 community mitigations, as well as advanced containment
1824 approaches. All of that, we needed to view that as a
1825 combined effort that was fluid and what was happening in
1826 the jurisdictions. So that's what we were communicating
1827 the need was.

1828 But the anticipation that even though one community
1829 was trying to contain, the expectation is that community
1830 will, at some point, be having to mitigate, because the
1831 containment won't stop the eventual movement of that virus
1832 into that community. The containment was intended to slow
1833 the spread, give time for preparation, and give time to
1834 better understand the virus and develop countermeasures.

1835 Q Can you talk specifically about the community
1836 mitigation work that the CDC was doing? And I think slide
1837 71 on page 74 of the PDF details this work.

1838 A Hold on. Slide 74?

1839 Q Slide 71, on page 74.

1840 A Next --

1841 Q Sorry for the --

1842 A So slide 71, is that what you're saying?

1843 Q Slide 71, right.

1844 A The number at the lower right-hand corner.

1845 Q Of the actual slide, yes.

1846 A Okay. What's the title of your slide?

1847 Q CMTF: Interim School/IHE Guidance.

1848 A Okay.

1849 Q Is that where you are?

1850 A Yes.

1851 Q Okay. Maybe you can tell us broadly about the

1852 community mitigation task force and what they were doing at

1853 this time.

1854 A So as a part of pandemic planning in the past

1855 and for this response, a dedicated group that focuses on

1856 the nonpharmaceutical interventions stood up at the

1857 beginning, with the anticipation that based on severity and

1858 transmissibility, the implementation of various mitigation

1859 efforts would be done.

1860 And so that group went through the process of

1861 identifying, what are those different interventions, what

1862 do we know about the communities' acceptance of things,

1863 what is needed in terms of socializing these leadership and

1864 with others that may not be familiar with them, either in

1865 the media or in other public health jurisdictions, and
1866 other parts of the business community.

1867 These focused on the potential for mask use, the
1868 potential for canceling of mass gatherings or the
1869 decreasing of those recommended to be in mass gathering
1870 numbers. The implementation of school closures, changes in
1871 transportation, social distancing, like six feet, et
1872 cetera. And measures -- every day protective measures for
1873 the public to take for themselves, and hygiene and social
1874 distancing, the stopping of -- eventually, the stopping of
1875 elective procedures at healthcare facilities, changes in
1876 staffing, increased use of telework, et cetera. All of
1877 those were components of a described mitigation plan that
1878 had been last revised in 2017.

1879 Q And were all those steps also part of that
1880 plan that you discussed earlier, just general pandemic plan
1881 that was put together by the previous administration,
1882 elements of it?

1883 A Yes. So it was initiated in the
1884 administration -- in the Bush administration. And it was
1885 managed in or updated in the subsequent administration. So
1886 those -- yes, that plan. There was a series of documents
1887 that had been developed. And then there were documents
1888 that were derivative of those that were operational plans
1889 for agencies, as well as operational plans for departments

1890 and for the U.S.

1891 Q And I'm going to ask you specifically about
1892 the third bullet here. That reads, "continuing to adjust
1893 plain language planning guides for key community settings
1894 and audiences to incorporate available COVID-19 specific
1895 information using guides previously released for pandemic
1896 influenza." And it says, "guides for households and
1897 individuals, mass gatherings, community and faith-based
1898 organizations in clearance." Can you tell us what these
1899 plain language guides were?

1900 A These were taking what were described,
1901 technical documents with mitigation, documents that we
1902 talked about in the contexts that were in those documents,
1903 and turning them into actionable efforts for the community
1904 to be able to implement, be it either from a community
1905 level, jurisdiction government support within those
1906 jurisdictions, as well as the public health agencies, but
1907 also for individuals to take, so that they have guidance on
1908 what they can do as individuals.

1909 Q The sub-bullet there, guides for household
1910 individuals, mass gatherings, community and faith-based
1911 organizations in clearance. What does "in clearance" mean?

1912 A In clearance within the incident management
1913 structure at CDC is a set of individuals that have been
1914 identified as clearance coordinators for each of the task

1915 forces. So this particular task force dealing with these
1916 issues would meet, has a person assigned to help with the
1917 review to assure that it reaches the right readable level.

1918 But also that the recommendations in it do not fall
1919 counter to other recommendations that have been previously
1920 made. Therefore, if they do change the recommendations,
1921 recognize the impact that that has on assuring that the
1922 public is getting a single set of recommendations, rather
1923 than having to change it all the time.

1924 But that clearance also, then, assures that other
1925 parts of the response that has -- that are affected by
1926 these recommendations can review them to ensure that, for
1927 instance, some guidance for lab workers is not in any way
1928 called into question with some information that would leak
1929 out.

1930 Then that would go through the clearance chain, all
1931 the way up to the incident manager. And the final
1932 recommendations are generally also reviewed by the
1933 principal deputy at CDC. And the director can also review
1934 them as well. But the clearance through the incident
1935 management structure would end with the communications task
1936 force, finalizing those recommendations, once they're
1937 completed, and then could be posted on the web.

1938 Q Typically, did that process involve approval
1939 by agencies outside of CDC?

1940 A Depending on the type of recommendations.
1941 There is a best practice of receiving review from those
1942 other agencies that have -- that are impacted by it. So
1943 for instance, schools would warrant the input from the
1944 Department of Education, et cetera.

1945 So there were processes, whereby contacts and
1946 designated representatives for those different groups could
1947 review these materials, in order to provide input. For
1948 some of those, there were direct deployments to our own
1949 response, so that there were designated individuals that
1950 could represent those entities within the response.

1951 Q The guides listed here, do they go out to the
1952 public on CDC's website?

1953 A I am -- I actually cannot recall right now.
1954 There were recommendations, and I don't know the time at
1955 which those actually were posted. I don't recall the dates
1956 of that. But these typically would go on our website.
1957 These having participation, if I'm remembering the timing,
1958 participation from a number of others within leadership
1959 that were -- because of the impact that many of these
1960 recommendations would have.

1961 Q In media reports, it was said that a number of
1962 guidances were developed and released sort of piece by
1963 piece after a drawn-out process of input from others in
1964 government. As far as you know, were these guides subject

1965 to that process of review by other agencies outside of CDC?

1966 A These guides received significant review from
1967 other agencies, but also from within the department.

1968 Q Do you recall if these were released on CDC's
1969 website, these specific ones that were in clearance? Were
1970 they released --

1971 A I don't recall from this slide whether these
1972 were documents that had been initiated by CDC that entered
1973 into a process of review, or whether it's -- yeah, I don't
1974 recall from this slide which sets these are referring to.
1975 They were eventually guides were presented on CDC's
1976 website. I don't know at this point, to this date, which
1977 ones these are referring to.

1978 Q So this is, I guess, the formal work that was
1979 being done by the community mitigation task force. What
1980 additional steps were being taken at that time to prepare
1981 for community spread and taking mitigation measures?

1982 A So the -- the process of trying to foreshadow
1983 that these types of measures would need to be taken is
1984 something that, in general, CDC has had as its practice of
1985 communicating to the public the potential for something
1986 that might be disruptive, working with key opinion leaders
1987 in the community to help also communicate that message. So
1988 that the general discussion in the media and across the
1989 government would be leaning into the potential for the use

1990 of these. And then identifying where the public might have
1991 trouble with some of the them. And then ensuring that the
1992 communications of them are made in a way that would
1993 maximize the implementation of them.

1994 Q What was being done, specifically -- if you
1995 recall, what was being done, specifically, to get leaders
1996 in government to possibly prepare the public to take these
1997 sorts of measures?

1998 A So one would be beginning to communicate how
1999 the experience of other countries, the information that we
2000 were learning, the combination of community spread,
2001 eventually. All of those things. Just indicating that
2002 there are measures that can be taken, and that those
2003 measures can occur at a community level or the individual
2004 level, and begin moving forward with the expression of
2005 that. And with the expectations that more details and more
2006 understanding would occur as the public becomes familiar
2007 with that approach, because it's not something that we do,
2008 or had done in a long time.

2009 Q Were you personally discussing these measures,
2010 and preparing the public for them with other leaders in
2011 government?

2012 A Yes, I mean, the expectation would be that
2013 these need to be developed and made public, or at least be
2014 presented. Yes, there were discussions about that.

2015 Q Who specifically in government were you
2016 speaking to about that?

2017 A At this time, I can't recall exactly.
2018 Eventually, as the new approach to the task force was
2019 implemented, this discussion moved to working with the new
2020 members of the White House task force.

2021 Q What was that new approach that you're talking
2022 about?

2023 A Just that the -- not a new approach, but the
2024 process, you mean? That the discussion moved to the White
2025 House, to help work through what those needs were.

2026 Q Did that coincide with the Vice President
2027 taking over with the task force?

2028 A Yes, with the Vice President taking over the
2029 task force, and members of that task force enhanced focus
2030 on engagement on these issues certainly increased.

2031 Q At this time, was there engagement with the
2032 White House task force on these particular issues,
2033 community mitigation?

2034 A Yes. I mean, were we having discussions? We
2035 were discussing these things through our own incident
2036 management structure, with the director, who was a
2037 participant in the task force, and a number of others that
2038 I cannot recall, who all were involved over a period of
2039 time working through the approach with the development of

2040 these guides.

2041 Q Moving forward, you mentioned what was going
2042 on in other countries, in terms of community mitigation. I
2043 just want to move to the next slide, slide 72. I want to
2044 make sure we're both looking at the same slide. This
2045 is -- the title is "Extensive Community Mitigation
2046 Measures, Italy, February 18 through 23rd, 2020." And it
2047 says "greater than 130 confirmed cases." So walk us
2048 through what you were seeing in Italy at this time.

2049 A So this was -- I can't recall the exact date.
2050 This was either the beginning of, or well into the lockdown
2051 that they were implementing.

2052 Q And this slide describes a number of community
2053 mitigation measures in Italy, and has a timeline of the
2054 outbreak. What were you taking from this information from
2055 Italy, in terms of the risks to the United States?

2056 A In a community of a Western country, with a
2057 similar approach to public health and health care, that the
2058 system could be overwhelmed. And the impact on the country
2059 could be extremely high. So if this was to be entered into
2060 the U.S., we would have a significant problem.

2061 Q Is that something you communicated outside of
2062 CDC?

2063 A Yes, I think that was a fairly -- the
2064 conclusions were there for many to make on their own. But

2065 we were definitely communicating with our leadership.

2066 Q Had you briefed the White House task force on
2067 this situation in Italy around this time?

2068 A I can't recall. I don't believe I did. I
2069 don't recall if we had a specific presentation. The
2070 standup of the new White House task force, and the
2071 connection to the incident management structure at CDC
2072 was -- the pathways to communication were not clear.

2073 Q I am going to go back to that point, but just
2074 sticking on Italy for now. Within CDC, looking at what was
2075 going on in Italy, were there discussions about broader
2076 travel restrictions?

2077 A Throughout this period, and even subsequent,
2078 if I'm recalling, there was a continual review of all the
2079 of the impacts that were happening in countries. And then
2080 a process had been identified of how to use that to
2081 determine what level of travel restrictions would be
2082 recommended.

2083 So there was a persistent increase in the numbers of
2084 countries, the levels of travel restrictions, and
2085 subsequent impact on global and persons traveling. So
2086 there was a lot of discussion about the impacts of
2087 those -- of information like this on travel
2088 recommendations.

2089 Q What was your position at this time on the

2090 24th, as incident manager on restrictions on travelers from
2091 Europe?

2092 A It's like we mentioned. We historically had
2093 been cautious about the implementation of travel
2094 restrictions. However, because of the continued
2095 information available about severity and transmission and
2096 potential impact, the use of travel restrictions was
2097 something that I agreed to, and made sense, in terms of one
2098 of the many measures that you can use in order to try and
2099 have as much of the intervention as you can, based on the
2100 learned approach.

2101 Q Why were the restrictions on travelers from
2102 Europe not implemented in February?

2103 A I would have to recall when we added Europe.
2104 I know we were adding different countries, I think we
2105 eventually added Schengen, et cetera. And so I don't
2106 recall the time in which we did it, but my anticipation was
2107 we would continue to increase those based on the factors
2108 that we had identified. The decision to significantly
2109 impact travel from Europe was one that did have to take
2110 into account the impact on business and other things that
2111 it might create. And so many of those factors, I think,
2112 were being considered at the time.

2113 Q As far as the restrictions, it seemed that
2114 they went into effect on March 11th. One thing we've

2115 learned through our interviews of several people at CDC,
2116 that a decision to restrict travel was delayed, and that
2117 CDC had been advocating for a restriction for the Schengen
2118 countries, and it was delayed for a period of time. Do you
2119 recall that period of delay?

2120 A I don't recall the specific times about it.
2121 Just the implementation of travel restrictions, I think,
2122 given the amount of spread, it was an essential move to
2123 take. All the decisions that led to the eventual timing of
2124 it, I can't recall at this point.

2125 Q So this slide deck gives us a good snapshot of
2126 what was going on in the pandemic on February 24th, and
2127 the -- we didn't talk specifically about these slides, but
2128 it seems like the first, I would say, five, six slides are
2129 focused on repatriation and those sorts of issues. If you
2130 want, you can just take a look through to refresh your
2131 recollection. And I think this starts on slide 10.

2132 A Okay.

2133 Q Yeah, and the things that go on from there. A
2134 number of commentators have made the point that critical
2135 time was lost focusing on the smaller issues of getting
2136 Americans back, and dealing with outbreaks on cruise ships,
2137 when in the words of one leader of the CDC, a tsunami was
2138 about to hit the United States. Do you agree with that
2139 assessment?

2140 A The focus early on was on repatriation. Among
2141 the tactics of that, I think we discussed earlier on about
2142 the need to be preparing and planning for what might occur.
2143 And so the focus on the tactics, I think was -- we were not
2144 able to focus on some of the larger planning and strategy,
2145 because of the significant focus on those tactical issues
2146 around repatriation.

2147 Q Any other failures looking back?

2148 A What?

2149 Q Do you consider that a failure on looking
2150 back, the focus on that issue?

2151 A I know all responses have difficulties. And
2152 the improved planning and the focus on strategy and the
2153 potential for there to be interventions in place that were
2154 planned out and developed earlier would have been helpful
2155 for the overall response.

2156 Q Do you think it contributed to the number of
2157 infections and deaths in the first wave, that lack of
2158 planning?

2159 A It's hard to say. I have not been in any
2160 specific assessments or looked at what the change in impact
2161 would have been. The recognition of the potential for
2162 transmission might have had a significant impact on
2163 individuals' behaviors early that might have led to fewer
2164 deaths, fewer cases. I don't have any information to

2165 support that.

2166 Q After -- this presentation was February 24th,
2167 and on February 25th, Dr. Messonnier gave a telebriefing
2168 during which she warned of the risk of community spread,
2169 and said we will see community spread in this country.
2170 It's not so much a question of if this will happen anymore,
2171 but rather a question of exactly when. So did you
2172 collaborate with Dr. Messonnier on preparing for this
2173 telebriefing?

2174 A So in general, we prepared talking points and
2175 went through for review. The development of those is done
2176 through a group that helps support the primary voice of the
2177 response, which was, at that time, Dr. Messonnier.

2178 And so the main issue was to identify what caused
2179 these issues trying to be communicated, and what
2180 significant recent issues needed to be addressed. Over a
2181 number of weeks, there was a sense that we could see what
2182 was about to happen, and that the need to begin
2183 communicating that to the public needed to start.

2184 And so this was one of the opportunities to begin to
2185 communicate the potential of needed changes, interventions,
2186 and expectations that the public may have to do something
2187 that's very different from what they're used to.

2188 Q And obviously, it's been reported that there
2189 was a negative reaction to Dr. Messonnier's remarks within

2190 the government. And the very next day, there was that
2191 change in leadership to the coronavirus task force. You
2192 mentioned that the pathway of communication changed. Can
2193 you tell us what you meant by that, with the change in
2194 leadership of the task force?

2195 A Previously, through the incident management
2196 structure, where we continued to do the data collection,
2197 analysis, interpretation, translation. That was -- and we
2198 continued to do that, I think, on a daily basis, and
2199 providing that information through various different
2200 mechanisms.

2201 The direct communications between ourselves and
2202 Dr. Redfield and the Secretary were the primary means
2203 whereby we would communicate into that task force. So the
2204 change in the leadership meant that a different path should
2205 have been established or might have been established, but
2206 that we would continue to communicate through the Secretary
2207 and through our director.

2208 However, additional staff on that task force, I
2209 think -- the means for communicating to them was not clear.
2210 The connection between incident manager and -- the incident
2211 manager, our director, and the White House task force was
2212 not clear.

2213 Q How did that impact your work, that lack of
2214 clarity?

2215 A I think that as the White House task force
2216 matured with the sources of information available to them,
2217 and leading to decision-making, we weren't as -- we did not
2218 know what those data sources were necessarily. We didn't
2219 know how the decisions were being made. And the engagement
2220 with the agency to utilize the resources that we were
2221 continuing to put up, they weren't clear on how our
2222 engagement was going to be utilized.

2223 Q What do you mean by that, engagement in terms
2224 of --

2225 A The use of the analytics capabilities, the
2226 modeling, the epidemiological investigations, the
2227 situational awareness and the situational reporting, and
2228 the means by which recommendations would be developed and
2229 communicated to the public.

2230 Q Did you get that sense, that the line of
2231 communication had broken down?

2232 A There was an inability for any staff to
2233 communicate with the media. This -- CDC would usually have
2234 different layers of engagement with the media. One would
2235 be at a technical level with those in the media who are
2236 highly technically astute, in order for them to understand
2237 the decisions and the science and the emerging
2238 characteristics of the virus.

2239 And then there were public offerings or at least

2240 telebriefings, et cetera, that would be available for
2241 questions to be answered or specific messages to be
2242 communicated and captured broadly. The capability for the
2243 agency to engage either at that lower technical level, or
2244 at the broader telebriefing level, I think was limited that
2245 through mostly requests to have those engagements
2246 more -- decisions were not passed down to us on whether or
2247 not they were allowed.

2248 Q A request would come in, and then they would
2249 never get to you. Is that what you're saying?

2250 A So a request for some engagement with just a
2251 technical journalist would not be approved, or we would not
2252 know if it had been approved. And so de facto, those
2253 engagements would have to end because of deadlines.

2254 Q Was it communicated to you that communications
2255 that could alarm the public had to go through the Vice
2256 President's office?

2257 A I don't recall that in particular, but I think
2258 my experience at that time, I think all communications were
2259 not allowed. There were very few.

2260 Q What effect did that have on the folks working
2261 on the response at CDC?

2262 A It had a significant impact on morale. It had
2263 an impact on what was an expected approach to how the
2264 agency engages the community, and in anticipation on how

2265 national leadership and engagement with our state partners
2266 may have been impacted, because of the lack of the ability
2267 to have that routine communication.

2268 Routine communications can not only inform, but can
2269 also correct if there are problems, and so -- in terms of
2270 the interpretation of what we were trying to communicate.
2271 So I think the absence of those had an impact with
2272 their -- there are no solutions or the public is not sure
2273 what's going on. So for that reason, it's important to
2274 have that ongoing communication, so that certainly we could
2275 communicate, but also any change in findings were things
2276 that the public themselves could do could be communicated.

2277 Q What was the impact on morale in this?

2278 A I think the response felt that this
2279 was -- that the work that they were providing was not being
2280 recognized, or was not having an impact. And so I think
2281 the significant amount of information was being developed
2282 and provided. We could not tell if it was being routinely
2283 reviewed by those at the highest leadership level.

2284 Q What specific work are you talking about?

2285 A Investigations, data that were coming through.
2286 I think that's where the importance of the MMWR became a
2287 critical vehicle, because it was one of the one places for
2288 that ongoing communication about findings, about new
2289 information, about changes, recommendations, could be

2290 communicated.

2291 Q Were you aware of any telebriefings that the
2292 CDC requested to you that were denied by the Office of the
2293 Vice President?

2294 A Can I state specific instances of it? I can't
2295 recall at this point. The frequency of the telebriefings
2296 gave an indication that the path had changed, and the
2297 inability for there to be any lower-level communications
2298 was an ongoing concern that persisted.

2299 Q By lower-level communications, you mean?

2300 A With media. I mean, even to the -- I think
2301 there were -- non-COVID communications were not being
2302 approved. And we could not tell if that was a problem with
2303 the process or a problem of decision-making.

2304 Q While you were incident manager, did you look
2305 into the process, and why this was -- why things were not
2306 being approved?

2307 A Yes. And most of that was done through,
2308 first, the deputy director and with Dr. Redfield, in order
2309 to help mitigate that, and through our office of the
2310 associate director of communications.

2311 Q What discussions did you have with
2312 Dr. Redfield about this?

2313 A The awareness of the stopping of a lot of the
2314 capability to communicate. He indicated that that was not

2315 in line with what I anticipated public health approaches
2316 had been in the past, and was -- would have a negative
2317 impact on our ability -- would have -- to meet the public
2318 health issue.

2319 Q When did you have that discussion with
2320 Director Redfield?

2321 A I don't recall specific dates. That was a
2322 discussion that occurred on various specific times with
2323 different levels of leadership.

2324 Q And what was the response from him?

2325 A I actually don't recall specific responses.
2326 Just that the process was unclear and that a number of
2327 different parts of leadership wanted to participate in
2328 reviewing the materials.

2329 Q Sticking with telebriefings, specifically, and
2330 I'll just ask you this one last question. What impact do
2331 you think that had, the inability to get that information
2332 out during critical times? I guess there was a three-month
2333 gap between March 10th and June 12th in CDC telebriefings.
2334 What do you think that impact was on the response?

2335 A So the -- there were different impacts. From
2336 a public health partner standpoint, our partners looked to
2337 those leaders to demonstrate what the national
2338 recommendations are, the direction that CDC is recommending
2339 to take place.

2340 So the stopping of these coming from CDC and the
2341 moving of that to an interim task force structure meant
2342 that the independence of those recommendations, it wasn't
2343 clear how CDC was participating in those recommendations.
2344 And therefore, partners were not certain about the guidance
2345 being provided.

2346 I think the CDC also, at least from an operational
2347 standpoint, were not aware of the number of recommendations
2348 being developed. And therefore, as those recommendations
2349 would be developed and be communicated from the task force,
2350 the agency was not able to provide background or reasons
2351 for changes or some of the recommendations that were being
2352 made. So CDC's usual engagement with our public health
2353 partners is to explain, to support. And so in that sense,
2354 we were not able to communicate recommendations that were
2355 being developed.

2356 Q What impact do you think that had on the
2357 course of the pandemic?

2358 A Well, the decisions that needed to be made are
2359 very big decisions. And those decisions really should be
2360 coming from the highest levels of the government. The
2361 incorporation of engagement with the CDC should have been a
2362 component of that. And from the time that I had as
2363 incident manager, the use of the agency as an arm of that
2364 response could have been more optimized.

2365 Q Our hour is up. And I wanted to check with my
2366 colleagues to see if they have any questions now, or maybe
2367 ask Kevin if now would be a good time to take a lunch
2368 break?

2369 Mr. Barstow. How many more lines do you think you
2370 have? A couple more?

2371 [Majority Counsel]. I think probably around an hour
2372 left.

2373 Mr. Barstow. Okay.

2374 The Witness. I think we could power through if you
2375 would like to, depending on -- we could try and do that.
2376 Maybe take five minutes.

2377 [Majority Counsel]. Okay. I just want to check with
2378 colleagues on that, if that's okay with them.

2379 [Minority Counsel]. That's fine with us. We have no
2380 questions right now, so we are in favor of powering
2381 through.

2382 [Majority Counsel]. So five minutes and then power
2383 through.

2384 (Recess.)

2385 [Majority Counsel]. Back on the record.

2386 BY [MAJORITY COUNSEL].

2387 Q So I wanted to show you three documents that
2388 are agendas related to the White House coronavirus task
2389 force. They are Exhibits 4, 5, and 6.

2390 (Exhibit Nos. 4, 5, and 6 were identified for the
2391 record.)

2392 The Witness. Okay.

2393 BY [MAJORITY COUNSEL].

2394 Q Maybe I can start by asking you, generally,
2395 after that change in leadership of the task force, how did
2396 you -- what were your interactions directly with the group?

2397 A So our pathway of information and engagement
2398 is through Dr. Redfield, along with the -- well,
2399 Dr. Redfield continued to participate in the -- as the CDC
2400 representative to that group.

2401 So we would communicate through Dr. Redfield to the
2402 group. There were not direct or routine engagements with
2403 Dr. Birx at that time. And I can't recall when we started
2404 providing a direct mailing to her of our incident
2405 management updates, so that she could be aware of the data
2406 and available resources to her.

2407 Q You personally briefed Dr. Redfield and
2408 debriefed with him after the meeting?

2409 A So, yes, there was an a.m. briefing that we
2410 had with him. And there was established -- and I can't
2411 recall when -- there was a post either telebrief, that is
2412 White House telebrief, or post White House task force
2413 briefing that we established, in order to hear from him
2414 what the outcomes from the meeting were.

2415 The meetings themselves, if there were any record of
2416 it or tracking, that would have been needed to be
2417 communicated to the various operating divisions. I was not
2418 aware of any task tracking capability to know that
2419 decisions were made, and the expectations for those paths
2420 that had been provided to them.

2421 Q In your personal view, did the observation you
2422 noted earlier about shorter term agenda items versus longer
2423 term planning persist through March?

2424 A During March still, I think the focus was on
2425 more of that shorter term planning. However, the continued
2426 increasing number of global cases, the experience in
2427 Europe, and the eventual state level and jurisdictional
2428 level decisions on either school closures or other kinds of
2429 efforts, I think the focus on the need for the broad
2430 intervention approach certainly rose to the fore.

2431 I think there was some increase in that strategy
2432 component. That increased strategy component was at the
2433 White House, and it was not communicated with similar
2434 efforts we might have had at CDC.

2435 Q Did folks at CDC continue to work on these
2436 issues, it just wasn't getting communicated to the White
2437 House task force?

2438 A It wasn't clear how to engage. I think as the
2439 White House task force developed capabilities for doing

2440 analyses and modeling, the role that CDC played, and also
2441 the role, the eventual shared activity that the NRCC
2442 played, those -- it was not clear who was doing what
2443 activities, and whether engaging the CDC and utilizing the
2444 resources we had, if that could have been improved.

2445 We would have recommended greater engagement,
2446 participation, and meetings, et cetera, with what was a
2447 group of -- at the analytic level at the White House that
2448 was developing, which was similar in responsibility and
2449 charge to efforts the CDC had.

2450 Q They were duplicative?

2451 A Yeah, I think for most of the period, it was
2452 not clear what was happening there. And with the -- to say
2453 that they were duplicative would have indicated that we had
2454 good visibility of what efforts were actually ongoing.

2455 Q You just didn't know what was being done in
2456 this area of community mitigation and planning and
2457 analysis?

2458 A Yeah, mostly around the analysis of available
2459 data, determination of the potential impact of those
2460 findings, and the use of that information to help direct
2461 resources for the response.

2462 Q I want to take a look at these documents,
2463 starting with Exhibit 4, which is a White House coronavirus
2464 subtask force agenda from March 3rd, 9:00 a.m.

2465 First, let me ask you, what is the subtask force?

2466 A So I would have to revisit the structures that
2467 happened within -- like I said, this is -- hold on just a
2468 second. So this is the Office of the Vice President
2469 coronavirus subtask force agenda. The structures that were
2470 used for the task force, I can't recall what the
2471 organizational chart looked like. We were more familiar
2472 with the NSC, PCC, sub-PCCs, and other structures that get
2473 utilized. This one is utilizing a different organizational
2474 structure that I can't -- I don't recall.

2475 Q And looking at item 2, you and Dr. Cetron
2476 provided an update to the subtask force. Do you recall
2477 that?

2478 A Yes.

2479 Q And what would these updates entail?

2480 A These would be largely describing the numbers
2481 of cases globally, the numbers of cases domestically.
2482 Depending on the time, there would have maybe been some of
2483 the repatriation issues as well. And then mostly
2484 situational awareness information, both for what we're
2485 seeing with the virus, and numbers of cases. And then
2486 probably from Marty, some information on the screening at
2487 the borders and the identification of cases.

2488 Q And you gave your briefing. How did these
2489 meetings work?

2490 A I don't recall how many of these I actually
2491 attended, but the information was provided. And I presume
2492 that they were then translated and summarized, and
2493 presented to the task force itself. And then I presume
2494 decisions were queued up at the task force for arriving at
2495 recommendations.

2496 Q For the items on this agenda, were you
2497 involved in the discussions and decision-making?

2498 A I think we may have been present. I think
2499 depending on some of these, Marty probably would have been
2500 involved. Yes, a lot of this is, again, talking about
2501 the -- what to do about these individuals, recommendations
2502 around travel, et cetera. So on number 2, 4, and 6, I
2503 think we provided some input on the phone.

2504 Q Do you recall, in terms of due-outs from these
2505 meetings, how tasks were assigned, and what was expected of
2506 CDC or other agencies, how that worked?

2507 A Yes. So for these, there would have been
2508 tasks identified that could have been provided to the
2509 agencies. So it was the subtask force agenda, which I'm
2510 not sure which subtask it was. So there would have been
2511 some articulation of what the expectations were. I can't
2512 recall right now specifically, but at this level, there
2513 would have been.

2514 Q Who would be assigned those tasks?

2515 A I presume the chief of staff would have been
2516 assigned. I don't recall who actually was providing them.

2517 Q Looking forward to Exhibit 5, and item 6 is
2518 the Europe travel advisory. Were you involved in
2519 discussions that you can recall?

2520 A I don't recall if I was or not. I believe
2521 Marty was present, and certainly would have been.

2522 Q Okay. And then did you see, in terms of how
2523 the meetings were run and the change in leadership that
2524 occurred when the Vice President took over, did that lack
2525 of clarity and communication affect the work that was
2526 actually being done in this subtask force or --

2527 A I actually don't recall the outcome of this
2528 task force. I don't recall how long this particular one
2529 lasted.

2530 Q Is that something that happened, that subtask
2531 force would be assembled, and then not last a period of
2532 time? Just tell us how --

2533 A I think certainly throughout the response, the
2534 structures that you use can change in order to be most
2535 effective, based on the need. So I can't speak now to how
2536 this -- what happened at this particular coronavirus task
2537 force. I think I led the response for another 20 days.
2538 And then there's another incident manager. So I don't
2539 recall what happened after this. But with the

2540 establishment of the NRCC, some of these structures
2541 changed, in that -- what happened with this task force, I'm
2542 not sure.

2543 Q How would you describe the functioning of
2544 these task forces and subtask forces at this time,
2545 generally?

2546 A I think they were mostly focused on providing
2547 information up, on articulating detectible steps that
2548 needed to occur, and then identifying how to best
2549 coordinate across the different agencies that are involved
2550 in these particular tasks.

2551 Q The meetings were effective in coordinating
2552 across agencies?

2553 A I can't speak to their effectiveness, but just
2554 as a means by which a lot of that coordination was
2555 occurring, as I recall.

2556 Q I want to briefly ask you about the CDC's
2557 testing efforts while you were incident manager. And for
2558 that discussion, there are two other exhibits, Exhibit 7
2559 and Exhibit 8.

2560 (Exhibit Nos. 7 and 8 were identified for the
2561 record.)

2562 BY [MAJORITY COUNSEL].

2563 Q Exhibit 7 is an executive summary prepared by
2564 HHS's office of general counsel, and Exhibit 8 are some

2565 interview notes of Dr. Steven Lindstrom from this work that
2566 the HHS office of general counsel did.

2567 On a high level, and I think we can -- the
2568 contamination issues have been well documented. We can avoid
2569 getting into the sort of nitty gritty of what happened in the
2570 lab. But can you tell us, on a high level, how CDC's labs
2571 respond once a potentially pandemic disease is identified
2572 like this?

2573 A With the identification of a novel pathogen
2574 that required the development of new diagnostics, the CDC
2575 has, in several instances in the past, taken the subject
2576 matter expertise, and applied that to the design of a new
2577 test. And then at CDC begins the process of developing
2578 that test, so that it can be distributed to public health
2579 laboratories.

2580 With this particular test, it was more designed based
2581 on the sequences that were available, that was manufactured
2582 for use at CDC. And then through a separate manufacturing
2583 process was made available for uses at public health labs.

2584 As that process occurred, we -- I don't know if it's
2585 in some later information that there was a design problem
2586 in one of the components of that test that was given -- was
2587 making it such that you could not use those test results.

2588 In addition, there was the detection of a very low
2589 level of contamination that was not from the components

2590 that were described in Exhibit 7. So Exhibit 7 is not
2591 correct, in terms of its assessment of what happened, but
2592 has components of it that are consistent with the assays.

2593 So that assay was devised initially and sent out to
2594 public health laboratories, so that they could do testing
2595 for public health. It was not a component of commercial
2596 manufacturing. It was not a component of what hospitals
2597 can use for detecting. This particular test was
2598 specifically for use in public health laboratories.

2599 Q The conclusion in Exhibit 7 is incorrect?

2600 A The conclusion that there was contamination of
2601 the device in -- let me make sure. Hold on. The point I'm
2602 making is that the problem was not contamination. It was a
2603 design problem. And I believe this document concluded
2604 there's a contamination problem.

2605 Q And one of the larger takeaways from the
2606 interview of Dr. Lindstrom is the resources issue. And I
2607 wonder if you could speak to that, the initial work being
2608 done at the respiratory virus diagnostic lab. Looking
2609 back, was that lab understaffed or under-resourced, in
2610 terms of its ability to develop this test and to scale it
2611 up?

2612 A Dr. Lindstrom's experience and capabilities to
2613 develop these tests was one that had been demonstrated in
2614 the past, successfully developing such tests. And so we

2615 really looked to Dr. Lindstrom to help us understand what
2616 the resource requirements were.

2617 So as a part of the laboratory task force, the
2618 capability for how to expand the development of that test
2619 to other parts of the agency is one that could be a
2620 decision that he would make. And that the time needed to
2621 do that, relative to the time needed for these tests to be
2622 developed, was one that the expansion of that lab to bring
2623 on additional staff required time spent away from actually
2624 doing the test. So that was a balance decision that
2625 Dr. Lindstrom needed to make.

2626 Q Looking at Exhibit 8, it says he made the
2627 comparison to the flu. And he said, "we didn't have what
2628 the flu lab -- what we had in the flu lab, a system of
2629 people with knowledge, resources, staff, appropriate for
2630 all stages of manufacturing, quality design, while also
2631 doing diagnostic testing." And I think there are only
2632 three or four -- he noted that there are only three or four
2633 people at CDC who could do this work. Do you agree with
2634 that assessment?

2635 A There are other parts of the agency that have
2636 expertise in FDA diagnostic manufacturing. There are
2637 components and different parts that can provide that,
2638 because there are different assays that have been approved
2639 in the past. So I think with a request to bring in those

2640 additional staff, those additional staff could have been
2641 made available to him.

2642 Q But that didn't happen here, at least
2643 initially. And the RVD was working with that small staff.

2644 A RVD made the decision to work with that small
2645 staff.

2646 Q Looking back, was that a mistake? What should
2647 have happened?

2648 A I think a rapid expansion or participation
2649 from a broader set of folks at CDC would have been helpful.

2650 Q And another point that has been made is that
2651 there are certain quality control resources that exist in
2652 private labs that weren't present in the CDC lab, one being
2653 an operational lead who could walk someone through, and was
2654 knowledgeable about the entire process. Do you agree with
2655 that assessment, that the CDC lab was lacking in that sort
2656 of process expertise?

2657 A So this particular lab, I don't recall the
2658 quality manager, how they would set up their quality
2659 management systems. But quality management is an issue at
2660 CDC that had been addressed, and is being addressed through
2661 a number of efforts now.

2662 And so could there have been better quality
2663 management in this lab? Yes. Is that the -- a need that
2664 we're addressing? Yes. That is something that, in this

2665 particular situation, a more robust quality system would
2666 have been advantageous to this outcome.

2667 Q Taking a step back, would efforts outside of
2668 CDC to develop national testing and surveillance systems
2669 have prevented some of these problems?

2670 A With the speed with which the U.S. might have
2671 had tests available to use, I believe there are processes
2672 that could have been improved in that space as well. And
2673 so those largely fall to FDA and their approach to
2674 regulating laboratory results tests, and to their
2675 regulations put forth in the Emergency Use Act processes
2676 that they have outlined.

2677 And so changes to those also occurred during the
2678 response. And I think they -- those changes were a
2679 reflection that improvements could have been made there as
2680 well that would have allowed for the commercial laboratory
2681 to have tests soon, and to allow hospitals to make their
2682 own tests and use them, similar to what happened in other
2683 countries.

2684 Q It's been reported that in the March, April
2685 timeframe, the White House had convened a working group to
2686 begin designing a national testing plan, but it was later
2687 dropped to, it said, state governors to primarily lead
2688 testing. Were you aware of that working group for a
2689 national testing plan?

2690 A If I'm recalling, there were efforts that the
2691 Assistant Secretary for Health was helping to put forward
2692 that were trying to address the very broad issues that were
2693 emerging out of the agent/reagent shortages, and the
2694 capability to manufacture diagnostics.

2695 And so those are very broad, big issues that require
2696 engagement from high levels of the U.S. government, and
2697 coordination across the U.S., in terms of how diagnostics
2698 are used, and who should get them in order to make sure
2699 that we get the most information with a limited numbers of
2700 tests. The issues around the rollout of that testing plan,
2701 I don't recall at this point.

2702 Q Were you involved in the discussions with the
2703 ASPR?

2704 A With the ASPR or with the OASH?

2705 Q With the -- yeah.

2706 A So my engagement on testing was largely with
2707 Dr. Giroir and OASH.

2708 Q I wanted to ask you about your transition to
2709 the NRCC. And how did that come about?

2710 A So I forget the exact date, but there was a
2711 point at which the decision was made to move towards the
2712 combined FEMA-HHS structures that we had been considering
2713 prior to the coronavirus, but which would be needed for a
2714 large-scale response.

2715 So those were named, and I believe the other things
2716 followed at that same time, with that stand-up of that
2717 actual physical combined activity meant that there should
2718 be significant CDC engagement in that, anticipating that
2719 what we were doing was within the management structure
2720 needed to be connected in with this broader interagency USG
2721 effort. So the decision was to have me stop being the
2722 incident manager and be a part of the National Response
2723 Coordination Center.

2724 Q FEMA released an assessment in January of 2021
2725 about the whole of government response. And I just want to
2726 show you a couple of diagrams. One is a timeline and
2727 that's Exhibit 12.

2728 (Exhibit No. 12 was identified for the record.)

2729 The Witness. Okay.

2730 BY [MAJORITY COUNSEL].

2731 Q And yeah, figure 10, interagency coordination
2732 timeline. So I guess the philosophy that the Trump
2733 administration applied to the work of the NRCC was that the
2734 pandemic response should be locally executed, state
2735 managed, and federally supported. Can you tell us a little
2736 bit about how that worked on the ground from your
2737 perspective at the NRCC?

2738 A That terminology, I believe, is particularly
2739 used terminology by FEMA about how they do their work. The

2740 coordination of resources that are available at this point
2741 requires a significant amount of effort. And so in order
2742 to make that happen, FEMA, HHS, and DoD were significantly
2743 engaged in working through how to get various agencies and
2744 resources, et cetera, to the people that needed it. So for
2745 that reason, the statement is a reflection of how routinely
2746 resources get utilized, so --

2747 Q What were your -- I know you were part of what
2748 was called the Unified Coordination Group. Can you tell us
2749 when you arrived at the NRCC, what your role was, and what
2750 you were focused on?

2751 A So I think the anticipation was the initial
2752 structure would be FEMA, HHS ASPR, and CDC. It was a joint
2753 coordination group where the decision-making would occur in
2754 that three-part community.

2755 After a while, the structures changed, such that the
2756 decision-making then became the ASPR -- with 6
2757 administrative, the Assistant Secretary for Health. So
2758 those three were the decisionmakers for any resource
2759 determination, for development of new countermeasures,
2760 purchase of resources, and the overall distribution of
2761 planning.

2762 So when CDC participated in a special adviser or
2763 interim adviser role, rather than in a decision-making
2764 role, the connection to the CDC as the management structure

2765 is not -- is not formed. It was -- instead, there was a
2766 decision to have task forces all coming into the FEMA, HHS
2767 lane.

2768 So for that reason, CDC's participation became mostly
2769 the coordination of mitigation guidance as the mitigation
2770 team, and then also in participating in data -- the data
2771 situational awareness. So there was not a good plug-in for
2772 what was a large response from CDC into this apparatus
2773 here, which was mostly focused on resource allocation. The
2774 strategy was happening mostly at the White House task
2775 force, with the group that was there. And the connection
2776 between the NRCC and that group at the White House, it was
2777 also not optimally connected.

2778 Q Why?

2779 A Why was that?

2780 Q Why was that?

2781 A I am not certain. I believe that there was a
2782 sense that strategy in disease monitoring, and
2783 expectations, were occurring at the White House group. And
2784 the implementation, operations, and execution were
2785 happening at the NRCC. Our anticipation and hope was that
2786 the NRCC was the strategy and operational incident
2787 management structure that we had anticipated.

2788 Q And what was the impact on that? I guess the
2789 strategy was coming down from the task force to the NRCC,

2790 rather than the NRCC being involved in strategy?

2791 A I think the needs of the operational
2792 components of the response are that forecasting and area
2793 modeling are being utilized to most optimally make resource
2794 allocations. And if that activity is happening as a
2795 separate component of the response, and not directly
2796 providing that information to the operations, meaning that
2797 the two separate groups are trying to decide where best to
2798 push and put the resources.

2799 Q Do you think that resources were misallocated
2800 as a result?

2801 A I don't have any information that suggests
2802 that they were misallocated, but the coordination of that,
2803 and the coordination with what might happen in the
2804 outbreak, it was not optimal because of that separation.

2805 Q Can you provide additional detail, in terms of
2806 areas where that wasn't optimal?

2807 A I think -- I believe that at the White House
2808 task force, Dr. Birx was not aware of everything that was
2809 happening with the NRCC. And that there was not routine
2810 communication except through the FEMA administrator through
2811 the White House task force.

2812 And so for that reason, I think the fact that
2813 modeling activities, forecasting, hot spot analysis, all of
2814 that was happening in two separate places. And that on

2815 realization of that, the request was for the NRCC to not do
2816 that forecasting for any of that scenario modeling. So
2817 that became difficult for FEMA especially, who routinely
2818 referred those kinds of activities to who was best to
2819 administer those resources.

2820 Q And just what was the result of FEMA not
2821 knowing, and this disconnect between the data and the
2822 modeling at the White House task force, and sort of the
2823 people who would get resources out on the ground?

2824 A So the outcome was that FEMA, HHS, and NRCC
2825 continued doing their assessments, and it was just not
2826 being reported in connection with the similar kinds of
2827 efforts that were happening with the White House task
2828 force, or Dr. Birx at that point.

2829 The rate of activity happening in the NRCC, supported
2830 largely through the DoD, was a significant commitment. And
2831 so the connection is that the anticipated disease spread
2832 outcomes, recommendations, including mitigation, a better
2833 connection there would have been a more coordinated
2834 response, because the containment and mitigation efforts
2835 were housed in FEMA as a specific CDC task group.

2836 As those mitigation efforts became formed, that is,
2837 about how to -- what to do when certainly states and
2838 jurisdictions reach a certain level of disease
2839 transmission, certain kinds of mitigation efforts could be

2840 turned on and off. We had been moving for that through the
2841 NRCC, and did present that to Dr. Birx. And I think that
2842 was a first opportunity, whereby we started to have better
2843 coordination between the activities happening there, and
2844 what was happening with CDC around the issue.

2845 Q And do you think this lack of coordination
2846 between these two pieces impacted what states ultimately
2847 did in that area?

2848 A I don't know if I have data to support that,
2849 but I think that the coordination of messaging,
2850 coordination of forecasting, provision of interpretation in
2851 the translation of that, both in terms of recommendations
2852 and in what's communicated to the public, an alignment with
2853 that, makes for a more coordinated messaging, and for the
2854 potential for the actions to be more coordinated as well.

2855 Q And looking at the timeline here, it says that
2856 by August 28, the UCG daily meetings ended. What does that
2857 mean, and why did they end?

2858 A I would have to go back. I would have to
2859 revisit these. In the summer months, as you can see, there
2860 was an anticipated --

2861 [Transmission interference.]

2862 [Majority Counsel]. Back on.

2863 BY [MAJORITY COUNSEL].

2864 Q So, Dr. Jernigan, this timeline indicates that

2865 the UCG stopped meeting on August 28th. Do you recall why
2866 that was?

2867 A So the expectation was that this combined
2868 structure with FEMA, HHS, NRCC was to coordinate a lot of
2869 the deployment efforts and support development of
2870 countermeasures and ventilators and other resources, et
2871 cetera.

2872 So I think there was an expectation that the wave
2873 would slow, and then the interagency NRCC would no longer
2874 need to be in place, and that the work -- the activities
2875 could go to the programs that were responsible for them.

2876 I don't recall at which point the NRCC actually did
2877 slow down, but between -- prior to this time, somewhere in
2878 June, there was a connection between the White House task
2879 force and the NRCC that essentially hard-coded a lot of the
2880 activities happening there under Dr. Birx with what was
2881 happening at the NRCC. So that was the initiation of sort
2882 of joint data analysis, and hot spot determination
2883 activities. So that was -- that helped to connect what was
2884 not well connected previously.

2885 Q And you said CDC was acting in an advisory
2886 role at the NRCC.

2887 A Yes. We were participating in an advisory
2888 role. And then also as the lead for one of the task
2889 forces, and then as a participant in the data group.

2890 Q And which task force?

2891 A Community mitigation task force.

2892 Q There were a number of communication issues
2893 and parallel streams between the NRCC and the White House
2894 task force. Do you think more leadership and coordination
2895 from the federal government on these issues would have been
2896 more effective? I'm thinking now of the sorts of things
2897 that were delegated to the states early on, like testing
2898 and supply issues.

2899 A Stated as broadly impacting throughout the
2900 U.S., the execution of activities has to be delegated to
2901 states and to the local jurisdictions. There's just
2902 simply -- the federal government doesn't have the
2903 capability to execute those things as a strategy
2904 development and provision of direct -- direction to the
2905 states.

2906 I do think there's a role for that. And the use of
2907 the federal government as a lead in harmonizing the views
2908 by which policies would be developed and executed, I
2909 believe that harmonization was a useful way of addressing
2910 something that is as impactful as the pandemic has been.

2911 Q And do you feel that that harmonization was
2912 lacking?

2913 A I think that the coordination between the
2914 different parts of the response was not optimized. It

2915 needed to be better coordinated. And that the use of CDC
2916 and its resources in helping to develop the interpretation
2917 and recommendations and the approaches taken could have
2918 been improved.

2919 Q I want to move on to another topic, and that's
2920 data during this time period. And CDC's access to data and
2921 use of data. I understand that you are now leading the CDC
2922 data modernization initiative. And as part of that work,
2923 are you reviewing the CDC's use of data during the first
2924 year of pandemic?

2925 Mr. Barstow. I think that is getting a little bit
2926 outside the scope, if you're talking about efforts that are
2927 happening right now. If you want to maybe rephrase the
2928 question about efforts during --

2929 [Majority Counsel]. Sure.

2930 BY [MAJORITY COUNSEL].

2931 Q What do you think were the greatest
2932 challenges, in terms of data to the CDC in this time
2933 period, December of 2019 to January 2021?

2934 A Heading into the very first part of the
2935 response was a longstanding issue of a number of factors.
2936 One, workforce, that the people that can do data science
2937 and can implement changes quickly, the workforce just
2938 simply wasn't there, both at CDC and also in the states and
2939 locals.

2940 The data itself was being transmitted in ways that we
2941 cannot scale through use of fax, the use of phone calls,
2942 through some electronic reporting. But not any capability
2943 to scale. The systems were utilizing data to be submitted
2944 from one person to many people with multiple different
2945 connections, so there was not efficiency of data movement.

2946 Access to automated electronic laboratory and other
2947 reporting was not in place, except for some things that
2948 were unscalable. So as the response initiated, the
2949 capabilities for states to be able to take in the
2950 information about a case and rapidly report it led to
2951 delays in investigations, but also delays in getting that
2952 information to CDC.

2953 So there became, then, this lag in case information,
2954 because the systems were not able to manage it quickly. As
2955 a workaround, states began to put that information up on
2956 their websites. And so that allowed for the CDC, but also
2957 for the general public, to capture that information, and
2958 make it available, based on what was being presented at the
2959 websites.

2960 The -- there are a number of other factors that have
2961 been looked at, but I think, in general, the lack of
2962 capability to scale, the workforce, and the -- notably
2963 policies and data use agreements were not there. So for
2964 that last issue, there are numerous data use agreements

2965 that needed to be worked through, because there was not a
2966 common data use agreement with states. And the CDC did not
2967 have authority to collect that information as a federal
2968 agency.

2969 The means by which the required reporting occurred
2970 during the response was through the capabilities that CMS
2971 has, and that the Secretary has in times of emergency. So
2972 between authorities and policies, absence of workforce,
2973 lack of resources over time, and inefficient approaches to
2974 data sharing, all of those things led to problems with data
2975 at the front end of this response.

2976 Q I want to ask you specifically about hospital
2977 reporting data, and the change in hospital data collection
2978 systems in January 2020. Before -- I mean, July of 2020.
2979 Before July of 2020, how did CDC collect hospital data?

2980 A So at the early -- prior to the pandemic, CDC
2981 has established agreements with multiple hospitals, most
2982 hospitals in the United States, to collect information on
2983 various hospital associated issues, antimicrobial
2984 resistance, lyme infections, and so forth.

2985 So that process, through the National Healthcare
2986 Safety Network, was in place, and was asked early in the
2987 response to use that system in order to collect additional
2988 information about impacts on the health care system. So
2989 hospitalized cases, but also things about ventilators and

2990 ICUs available, and other resources.

2991 So that information initiated. Around 1500 hospitals
2992 were already starting to report, and the use of that
2993 system, NHSN, was stopped. And the use of an alternative
2994 system, Teletracking, was put in place to do the same kind
2995 of work.

2996 Q What brought on that change to Teletracking?

2997 A The full information behind that, I don't have
2998 access to. I was not a part of a lot of that. I think
2999 those that were looking to help identify potential ways of
3000 solving the problem of needing to get that hospital data
3001 either at the White House task force or at the HHS
3002 leadership level, I don't think they fully recognized what
3003 resources were in place and were available.

3004 And so a decision was made to go with a solution that
3005 was one of several different vendors that were available
3006 that would provide that same manual data entry that was
3007 already underway. And so through various discussions, the
3008 decision was made to have Teletracking be one of a few
3009 means for getting the data through, and then subsequently
3010 was identified as the only means for doing that.

3011 Q Why did that happen, when it became the only
3012 means for getting the hospital data?

3013 A I would have to check back on my notes and
3014 all, but the -- I believe between April, I think, around

3015 that time, where some of those decisions would be made. I
3016 don't recall right now.

3017 Q How did the two systems compare in terms of
3018 the ability to collect accurate data?

3019 A So one of them, NHSN, was already in the case
3020 at over 5,000 hospitals, and subsequently became available
3021 at another 50,000 long-term care facilities. I don't know
3022 what the market share of Teletracking was at the beginning,
3023 but I think the expectation was, with this software, it was
3024 going to be able to improve the turnaround time for changes
3025 that needed to be made. It also was able to collect
3026 information outside of the routine requirements for data
3027 collection that OMB manages.

3028 Q And were those expectations borne out?

3029 A I think we -- there was a lack of an
3030 understanding that it was not software, but, in fact, a
3031 program that takes information from hospitals and can make
3032 it -- knows how to verify that that information is correct,
3033 and has a team of individuals that are responsible for
3034 making it happen.

3035 And so when the decision was made, I think the
3036 realization was that the software itself is only one part,
3037 and that the CDC surveillance system is not just software,
3038 but is a whole process of data validation, data cleansing,
3039 and updating that had to be recreated for the Teletracking

3040 system to work.

3041 Q At that time, were there identified problems
3042 that needed an immediate replacement in the CDC system?

3043 A As I'm recalling, there were some issues that
3044 the security updates needed. I think those were something
3045 that were, and have been addressed. There were some -- I
3046 think the main issue was around the turnaround time to add
3047 components for the reporting.

3048 And so because NHSN had been in place for so long,
3049 they had a very good understanding of how an infection
3050 control practitioner or hospital would be able to answer
3051 certain questions. And so the program felt it was best to
3052 test some of those questions to assure that they could be
3053 selected, and they would not be incorrectly filled in.

3054 So it's a quality step, which allows for validation
3055 that data is going to be correct when collected. I think
3056 that was viewed as taking too long. And so for that
3057 reason, the decision to not utilize it may have been one
3058 based on incorrect information.

3059 Q And Dr. Redfield testified before the
3060 Subcommittee that he learned about the decision to move to
3061 Teletracking after the decision was made. Did that lack of
3062 awareness of the change affect CDC's ability to collect
3063 data?

3064 A Yes. So the recommendation to no longer use

3065 NHSN would impact our ability to collect that information.
3066 So I think there was a difference. Again, there's a
3067 coordination issue there, like was mentioned before. CMS
3068 has a longstanding history within NHSN, and had made
3069 recommendations to use NHSN. But the Secretary's office
3070 decided to support Teletracking. And I believe the
3071 decision was with the White House task force to no longer
3072 recommend the use of NHSN.

3073 Q The timing of this, in the middle of a
3074 pandemic, did that -- I just want you to -- looking back at
3075 it, how did that impact the CDC and its use of this data
3076 that was very important to the response?

3077 A It removed the CDC from being the analytic arm
3078 of the data, and placed that with the Office of the
3079 Secretary and the White House.

3080 Q It was reported that a number of CDC officials
3081 were angered by this, and one had resigned who was working
3082 on data issues. Did this change have an impact on CDC's
3083 morale?

3084 A Yes, the impact on morale was there. I think
3085 improved coordination and engagement with the CDC would
3086 have been beneficial to understand better what the
3087 decision-making was, but also to identify changes to
3088 systems that could have a lasting impact on our overall
3089 ability to improve surveillance. That should have been a

3090 consideration as well.

3091 Q Why should that have been a consideration?

3092 A The introduction of a new system that -- not a
3093 new system, but a new software that is managed out of the
3094 Office of the Secretary as a primary surveillance tool, or
3095 as a primary response tool is a programmatic decision that
3096 is not in concert with how we've been doing things in the
3097 past. It does not put through management of that program
3098 next or close to the agency that has mission responsibility
3099 for that.

3100 Q Are you aware of the rationale to make that
3101 change at that time?

3102 A I don't have the specifics on it. I know that
3103 the decision to make that -- I don't know who the decider
3104 was on that. But, yeah, I was not provided the information
3105 that would have illuminated the process by which they
3106 arrived at that decision.

3107 Q Okay.

3108 [Majority Counsel]. I'm being told we're at our
3109 hour. I have, in total, probably ten minutes left, but I
3110 wanted to check with our colleagues in the minority to see
3111 if they have any questions for you at this time.

3112 [Minority Counsel]. We do not, [Redacted]. You can
3113 continue.

3114 BY [MAJORITY COUNSEL].

3115 Q So I'm hoping that we can wrap up by taking a
3116 few steps back, and looking at the response overall. I
3117 think you highlighted a number of areas where coordination
3118 was not ideal. I'm wondering if you could look back and
3119 assess whether there are any policies or procedures that
3120 you wish had been in place while you were working on the
3121 pandemic response.

3122 A I think the availability of interagency
3123 planning efforts and plans are something that should be
3124 revisited, exercised, and modified. And then an ongoing
3125 effort in doing that is useful for when a crisis does
3126 occur. I think the full exercising of how the federal
3127 government and its different entities work together, and
3128 what the decision-making processes are from the highest
3129 level to the execution level, how -- what those look like,
3130 and how they are -- how the full response can know what
3131 that process is.

3132 There are some benefits that might occur by having
3133 authorities at the federal government, either at CDC or
3134 HHS, to facilitate the reporting of information to the
3135 federal government during a time of crisis and decisions
3136 about ongoing sustainability for surveillance efforts or
3137 for others, in order to prevent something like the
3138 situation we had at the very front of the response. So
3139 sustainability, use of data, ease of data capture, and

3140 response coordination, I think, are the three main areas.

3141 Q I think one thing you've also touched on a few
3142 times are the impacts of a number of decisions on CDC's
3143 morale, in terms of times that their experts were
3144 sidelined. Are there any other instances that you can
3145 recall that we didn't discuss today of that happening?

3146 A Not that I can think of. I think many issues
3147 have been identified a number of different places. I don't
3148 think I have anything further to add.

3149 Q Do you have any sense of the impact that these
3150 hits to CDC's morale had during this time period?

3151 A So this crisis that has continued is not an
3152 easy thing, and the agency has gone through significant
3153 crises in the past which had been difficult. So there is
3154 an expectation that the group would be impacted by such a
3155 long response.

3156 Additional issues that lead the agency to not be able
3157 to see that their efforts are having an impact, I think
3158 those can have an impact on morale. But the important
3159 thing is not necessarily with morale, but is the
3160 recognition that the resources that the agency has, the
3161 experience that it has should be utilized components of any
3162 national response.

3163 [Majority Counsel]. I have no more questions for
3164 you, Dr. Jernigan. I want to thank you both for your time

3165 and for your service at CDC. I know that this has been an
3166 incredibly challenging time for you and your colleagues.
3167 And we admire your service, and thank you for
3168 participating. With that, we can go off the record.
3169 (Whereupon, at 1:34 p.m., the proceedings concluded.)

Corrections to the December 13, 2021 Interview of Daniel Jernigan by the Committee on Oversight and Reform, Select Subcommittee on the Coronavirus Crisis, US House of Representatives

Provided December 23, 2021 to Jennifer Schmalz HHS/ASL

1. Page 5, Line 76: Change name to correct "Daniel B. Jernigan". Middle initial is incorrect.
2. Page 10, Line 204: Change to "... I moved to the Epidemic Intelligence Service Program. There I studied respiratory disease epidemiology."
3. Page 10, Line 208: Change to "...I came to CDC in the Epidemic Intelligence Service in 1994, ..."
4. Page 12, Line 248: Change to "...Swan..." to "Swine"
5. Page 13, Line 267: Change to "...with our Division and are assigned to..."
6. Page 13, Line 271: Change to "...read..." to "thread"
7. Page 14, Line 295: Change to "...towards" to "between"
8. Page 15, Line 330: Change to "Carolyn Greene"
9. Page 16, Line 345: Change to "...eventually are identified to be a known pathogen"
10. Page 19, Line 415: Change to "...They, the China CDC, established a group called the Pneumonia of Unknown Etiology (PUE) group".
11. Page 20, Line 461: Change to "also engaging with the Global Influenza Program at WHO and bilaterally with some countries as well."
12. Page 22, Line 509: Change "infected" to "affected"
13. Page 25, Line 587: Change "50" to "500". The Epidemiology and Lab Task force in 2009 H1N1 had over 500 at its peak.
14. Page 25, Line 589: Change to "...I can have somebody to provide those to you..."
15. Page 26, Line 594: Change "stated" to "status"
16. Page 26, Line 600: Change to "...at after years based on examples from our DOD colleagues..."
17. Page 29, Line 681: Change "times" to "...I think were intended to provide time so that we could be more prepared".
18. Page 31, Line 739: Change to "So that's one thing that screening causes, i.e., some travelers with symptoms elect not to travel"
19. Page 38, Line 914: Change to "...So as that was characterized in the United States early in the pandemic, it indicated that travel association would be a targeted part..."
20. Page 41, Line 982-84: Change to "utilizing automated electronic reporting of healthcare encounters from emergency departments".
21. Page 42, Line 1011: Change "condition" to "submission"
22. Page 43 line 1029: Change to "There were other systems later in the response for vaccine effectiveness..."
23. Page 44 line 1043: Change to "this is the National Syndromic Surveillance Program..."
24. Page 44 line 1060: Change to "It was around 60 to 70 percent of all emergency departments. I do not recall the exact number".
25. Page 45 line 1068: Change to "National Respiratory and Enteric Viruses Surveillance System".
26. Page 48 line 1155: Change "portable" to "reportable"
27. Page 50 line 1195-96: Change to "...in their communities. There were apprehensions around the collection of specimens..."
28. Page 50 line 1200: Change to "would be to require identification of those individuals..."

29. Page 50 line 1214-16: Change to “could be implemented created issues for the successful implementation.”
30. Page 52 line 1260: Change to “It formalized the engagements of what CDC was doing into a formed group.
31. Page 54 line 1290: Change “pick” to “take”
32. Page 55 line 1318: Change to “So there were some discussions within the interagency”
33. Page 56 line 1338: Change to “expectation would be a broader interagency response would be needed and led to exercises...”
34. Page 56 line 1348 change “in” to “and”
35. Page 56 line 1361 change to “when in fact many of those plans were established in many prior administrations. I think there was a sense that new plans would be needed or that prior plans did not represent the current approach or a new approach might be needed.”
36. Page 58 line 1403 change “accessibility” to “acceptability” in both cases.
37. Page 60 line 1452 change to “in part because as a partner that engages with WHO on a regular basis, the longstanding...”
38. Page 62 line 1494 change “infectious” to “infection control”
39. Page 62 line 1510 change to “cases and contacts”
40. Page 63 line 1532 change “interim” to “interval”
41. Page 64 line 1542 change “three” to “through”
42. Page 64 line 1548 change to “for ease of administering the needs of the response”
43. Page 65 line 1566 change to “And then Dr Birx eventually was sent copies of this on a daily basis”
44. Page 65 line 1589 change to “at the point at which it was noted that fever was present.”
45. Page 66 line 1591 change to “..it did become clear that while asymptomatic transmission was not clear, what some refer...”
46. Page 70 line 1702 Change “research” to “resource”
47. Page 71 line 1720 Change to “directly listed in note E. There is a circle at the top with a “Y”. If we had a color copy, that would be a yellow circle, where yellow indicates...” (the point here is the circled “Y” tells the reader that the information is not for public distribution and is pre-decisional).
48. Page 74 line 1791 Change to “And therefore, there was an expectation of where cases might reside and community transmission could occur. These are things we would have communicated...”
49. Page 76 line 1863 Change to “...these with leadership...”
50. Page 79 line 1927 Change to “...for instance, some guidance for lab workers is not in any way called into question by new contrary guidance when it is released.” The “leak out” is incorrect.
51. Page 89 line 2178 Change to “And so the main issue was to identify what needed to be communicated, and what...”
52. Page 103 line 2537 Change to “I think I was in the response for another 20 days. And then there’s a transition to a new incident manager”.
53. Page 104 line 2547 remove “detectible” I can’t recall what the actual word was there.
54. Page 105 line 2584 Change to “As that process occurred, we – I don’t know if it’s in some later information you’ve been provided, but there was a design problem in one...”
55. Page 108 line 2643 Change all “RBG” to “RVB”, including line 2643.

56. Page 109 line 2674 Change to "...regulating Laboratory-Developed Tests (LDT), and to their..."
57. Page 112 line 2756 Change to "...decision-making then became the ASPR, the FEMA Administrator, and the Assistant Secretary for Health."
58. Page 112 line 2762 Change to "So then CDC participated in a special advisor or interim advisor role, rather than in a decision-making role. The connection to the CDC's management structure was not formal. "
59. Page 114 line 2792 change "area" to "scenario"
60. Page 115 line 2815 change "for" to "or"
61. Page 115 line 2837 change "certainly" to "certain"
62. Page 121 line 2984 change "lyme" to "intravenous line"
63. Page 123 line 3019 change to "...was already in place at over 5,000, and subsequently became available at another 15,000 long-term care facilities."
64. Page 123 line 3029 should read "...there was a lack of an understanding that NHSN was not just software, but, in fact, was a program that..."
65. Page 126 line 3097 Change to "It does not put the management.."
66. Page 127 line 3139 change to "...use of data,"